

1 century. So, I welcome everybody's thoughts and I look
2 forward to the discussion that will take place. Thank
3 you.

4 **SCIENCE PANEL**

5 MR. CLELAND: Good morning. My name is Richard
6 Cleland. I'm an Assistant Director for the Division of
7 Advertising Practices at the FTC, and I will be the
8 moderator of the first panel this morning. With me is
9 Walter Gross, a Senior Attorney in the Division of
10 Enforcement, who will be assisting me and keeping track
11 of time.

12 First, I would like to thank the panelists for
13 volunteering their time to participate in today's
14 workshop. I'm very familiar with most of the members of
15 this panel. I have worked with them, many of them,
16 through the Partnership for Healthy Weight Management or
17 through their work as expert witnesses or consultants to
18 the FTC.

19 This morning's panel consists of scientists,
20 researchers and physicians with extensive experience in
21 the study of overweight and obesity. We have a specific,
22 narrow goal. We will be looking at eight popular diet
23 claims. Specifically we will be considering whether such
24 claims are scientifically feasible and the conditions
25 that might affect the feasibility of such claims.

1 Before getting into the assumptions for this
2 morning's discussion, I would like each member of the
3 panel to take 30 to 60 seconds to introduce themselves,
4 and if they would, at the same time, also identify any
5 specific weight loss products or treatments in which they
6 may have a pecuniary interest. And I'd like to start at
7 my right, Anthony.

8 MR. ALMADA: My name is Anthony Almada and I'm
9 the Chief Scientific Officer for a company called
10 IMAGINutrition. We develop and create nutritional and
11 dietary supplement products. We do clinical trials on
12 them when we insert and wrap intellectual property around
13 them. I do have a disclosure of interest in terms of
14 having a patent pending -- an international patent
15 pending for an agent that reduces the side effects of
16 ephedra. I was the co-founder of a dietary supplement
17 and sports nutrition company called EAS, and I've been
18 working in the dietary supplement industry since 1975.

19 DR. BLACKBURN: I'm George Blackburn from the
20 Division of Nutrition at the Harvard Medical School and
21 the Director of the Laboratory for the Study of Nutrition
22 and Medicine, and for Nutrition and Metabolism at the
23 Beth-Israel Deaconess Hospital.

24 As far as disclosures, I don't have any diet
25 products for which I have a direct benefit. I have

1 served as a consultant advisor and we do receive grants
2 from a variety of federal government, industry, NIH and
3 foundations to carry out this work, and I have provided
4 consultations to all of these parties.

5 DR. GREENE: I'm Harry Greene, Medical Director
6 at Slim Fast Foods Company, and I have a special interest
7 in meal replacements, in particular, Slim Fast Foods.
8 During the last six years, I've been responsible for the
9 development of a number of clinical evaluations with Slim
10 Fast that have been published in 16 peer review journals
11 and am continuing to work with Slim Fast in developing
12 programs that will prove that it's effective in special
13 situations.

14 DR. HEYMSFIELD: I'm Steve Heymsfield. I'm a
15 Professor of Medicine at Columbia University and I'm
16 Deputy Director of the New York Obesity Research Center,
17 a federally funded center. I'm, like Dr. Blackburn, on a
18 number of drug company and food company advisory boards.
19 I'm on speakers' bureaus for these companies and I also
20 do contractual studies in addition to NIH-funded studies
21 on weight control products.

22 DR. HUBBARD: I'm Van Hubbard at NIH and one of
23 the things I can tell you is that I'm a pediatrician and
24 Professor of Pediatrics at the Uniformed Services
25 University of Health Sciences.

1 DR. STERN: I'm Judith Stern. I'm Professor of
2 Nutrition and Internal Medicine at the University of
3 California-Davis, and I'm also a past president of the
4 North American Association for the Study of Obesity,
5 which is our major research organization in the United
6 States.

7 I'm co-founder and Vice President of the
8 American Obesity Association, a lay advocacy group, and I
9 really look to the FTC to establish leadership in the
10 area. I hope that we can get information out to
11 consumers that they can really use. And I don't have any
12 conflicts at the moment.

13 DR. STIFLER: Hi, I'm Larry Stifler, I'm
14 President of Health Management Resources. We currently
15 work with several hundred hospitals and medical centers
16 around the country establishing medically supervised
17 treatment programs, and we currently have about, I'd say,
18 10 or 12 long-term research studies going with these
19 institutions. My only conflict, I guess, is I'm
20 President of HMR.

21 DR. WADDEN: Hi, I'm Tom Wadden from University
22 of Pennsylvania in Philadelphia. I'm Professor of
23 Psychology, Director of the Weight and Eating Disorders
24 Program. I do research on weight loss using diet,
25 exercise, pharmaco-therapy, surgery. I don't have any

1 direct financial interest in any diet products. I do
2 serve as a consultant to a couple pharmaceutical firms
3 and to one firm that produces a very low calorie diet.

4 DR. YANOVSKI: I'm Susan Yanovski. I'm
5 Director of Obesity and Eating Disorders Program at NIDDK
6 and I'm Executive Director of the National Task Force on
7 Prevention and Treatment of Obesity at NIH, and I am a
8 family physician and physician nutrition specialist. And
9 I have no conflicts with industry.

10 MR. CLELAND: Thank you. As noted earlier,
11 we'll be looking at eight specific performance claims and
12 we'll be looking at them in the following order: One,
13 the advertised product -- and that's a term I'll define
14 here in just a moment -- will cause substantial weight
15 loss for all users; the advertised product will cause
16 permanent weight loss; three, consumers who use the
17 advertised product can lose substantial weight while
18 still enjoying unlimited amounts of high calorie foods;
19 four, consumers who use the advertised product can lose
20 weight only from those parts of the body where they wish
21 to lose weight; five, the advertised product will cause
22 substantial weight loss through the blockage of
23 absorption of fat or calories; six, consumers can lose
24 substantial weight through the use of an advertised
25 product that is worn on the body or rubbed into the skin;

1 seven, consumers who use the advertised product can lose
2 substantial weight without reducing caloric intake or
3 increasing the level of physical activity; and eight,
4 consumers who use the advertised product can safely lose
5 more than three pounds a week for a time period exceeding
6 four weeks.

7 These claims will be considered with regard to
8 the following products: OTC drug products, dietary
9 supplements, creams, wraps, devices, and patches.

10 When we refer to products this morning, unless otherwise
11 specified, we're going to be referring to that class of
12 products. In other words, we're not specifically
13 considering prescription drugs, meal replacements, low
14 calorie foods, surgery, hypnosis, or special diets such
15 as the Atkins Diet or VLCDs. This doesn't mean that
16 claims for these types of products may not be false or
17 misleading, only that each of these areas may raise
18 specific issues that time is just not going to permit us
19 to explore this morning.

20 Now for the panelists. We would like your
21 individual opinions on the validity of these claims. We
22 are not asking you to work out any uniform or consensus
23 view. We will, however, ultimately ask each of you for
24 your bottom line on each claim, whether you believe that
25 given the current state of knowledge, such a claim is

1 scientifically feasible, not feasible or uncertain.

2 And some points to keep in mind. First, we're
3 not looking for scientific certainty, but only your
4 individual opinions based upon a reasonable degree of
5 scientific and medical certainty. On each claim, we
6 would like you to consider, first, whether the claim is
7 theoretically plausible, and second, whether the claim's
8 performance is scientifically feasible.

9 In considering these claims, pay close
10 attention to -- or consider the mechanism -- possible
11 mechanisms of action, as well as any available scientific
12 evidence that is relevant to the claims. Please keep in
13 mind that as we proceed through these claims, it may be
14 necessary to define certain terms in order to get a
15 better understanding of the claim.

16 Are there any questions at this point?

17 (No response.)

18 MR. CLELAND: I'm going to have a little bit of
19 difficulty seeing everybody down the table here. So, if
20 somebody's trying to get my attention, you all in
21 between, just yell at me or throw something or whatever.

22 At this point, in order to provide a frame of
23 reference for this morning's discussion, I've asked Dr.
24 Steven Heymsfield to kind of go over with us and review
25 for us some of the mechanics of weight loss, what's

1 involved, on a very general view with the hope that this
2 is going to provide us with some basis for our
3 discussions this morning.

4 Dr. Heymsfield?

5 DR. HEYMSFIELD: Thanks very much. Dr. Hubbard
6 was off to a good start when he talked about energy
7 balance. Energy balance is the ultimate determinant of
8 weight loss or weight change, and we can think of it
9 simplest as energy intake and energy output and the two
10 have to balance in order to maintain your weight. So, if
11 you've maintained your weight over the last year, that
12 means you've been in energy balance for the last year and
13 that everything you've burned up in your tissues in terms
14 of energy has been replaced by food you've eaten. So,
15 that's the simplest overall model that we work with.

16 We burn energy in the body to commute function,
17 muscle strength and to keep us alive, to keep us
18 thinking, and that heat is given off by the body and
19 that's our energy output. That's the output, the
20 expenditure side of the equation, and that really comes
21 off in two forms, two main forms. That is, at rest, it's
22 called our resting metabolic rate. That's about two-
23 thirds of the energy we expend and the remainder is
24 physical activity. There's a few other small things, but
25 physical activity is the rest. So, that's the output

1 side of the equation.

2 On the input side of the equation, we eat food
3 that has energy in it and that energy is in the form of
4 protein, fat and carbohydrate. So, all of that energy we
5 expend in our tissues to commute life, then, is replaced
6 by the energy in the food that we eat.

7 Now, there's a little bit in between and that
8 is we don't absorb all of the energy we eat. We absorb
9 normally about 95 percent of the energy we eat. The rest
10 comes out in our stool and urine. That 5 percent we lose
11 is normal. It's the non-absorbed components of our diet.
12 So, if you eat 2,000 calories a day, you lose about 1,000
13 in terms of undigestible and unmetabolizable components.

14 Then once we absorb that energy, it's used by
15 the tissues and it really distributes into three
16 different forms of energy in the body; carbohydrate,
17 protein and fat. Fat is the main storage depo in the
18 body. It's very high energy density, as you know. It's
19 nine calories per gram. It's very high energy density.
20 That's most of the calories in our body.

21 Then we also store energy as protein. It's not
22 really a storage energy depo, it's what really creates
23 function. It's the protein in our muscles that give us
24 strength and so on. So, we have protein in the body as a
25 form of energy.

1 And then, finally, we have a small amount of
2 carbohydrate and that's in the form of glycogen and
3 glycogen's in cells and it's only a small amount, about 1
4 percent of the total energy in our bodies in the form of
5 glycogen. But what's interesting about glycogen and
6 protein both, they require a fair amount of water to keep
7 them in solution, and so their energy density is actually
8 very low. It's about one calorie per gram whereas fat's
9 nine calories per gram. So, it's very low energy density
10 and glycogen is only a small amount, about 1,000 to 2,000
11 calories in the body.

12 Now, when we change energy balance -- let's say
13 we're all eating normally here and we change our energy
14 intake, and we go down, say, 500 calories a day or
15 something like that. We immediately go into negative
16 energy balance and that will cause us to lose weight
17 because we have to replace that missing energy with
18 energy from our tissues. The first place it's drawn from
19 is from these glycogen stores, this small amount of
20 glycogen. And that glycogen has a lot of water. So, for
21 the first five to ten days that you're on a hypo-caloric
22 diet, you will lose a fair amount of weight because that
23 glycogen has a very low energy density.

24 Then after that you begin to consume some of
25 the fat in your body at an accelerated rate and your

1 weight loss will slow down at that point and you'll be
2 consuming most of the energy deficit from your fat
3 stores. But also, you do burn a small amount of protein,
4 and we know that on the average person who goes on a
5 diet, about three-quarters of the weight loss comes from
6 fat and about one-quarter comes from protein, after the
7 first week or two, when the glycogen stores are
8 exhausted. So, that gives you a little bit of a picture.

9 Now, we have certain rules we follow, these are
10 very rough rules in the weight control field. We know
11 that roughly one pound of weight loss requires a deficit
12 of about 3,500 calories, roughly 3,500 calories per
13 pound, and that means if you drop your intake 500
14 calories per day, that after one week, you lose about one
15 pound. Those are rough estimates. And we know that most
16 adults have somewhere -- depending on how heavy you are,
17 200,000 calorie stores in your body. This is a normal
18 weight adult, 200,000 calories. So, people can survive
19 without eating somewhere around 70 or 80 days depending
20 on how overweight you are, just without eating at all,
21 creating deficits of, say, 100,000 calories or something
22 like that.

23 So, that gives you some sense of this overall
24 energy intake and energy output and energy balance
25 situation.

1 Now, I just want to sum up by saying, how can
2 we lose weight in terms of therapeutics. Physicians and
3 scientists have identified four different ways you can
4 lose weight in this energy balance equation.

5 The first is to reduce your food intake; that
6 is, protein, fat and carbohydrate in your diet, that
7 energy in your diet. If you reduce that, you will go
8 into negative energy balance.

9 The second way is if you block the absorption
10 or limit the absorption of one of those nutrients. So,
11 for example, if we give you an agent that blocks the
12 absorption of fat, that will have the same net effect as
13 reducing your intake. And there are agents that will do
14 that. So, absorption is the second mechanism.

15 The third mechanism, overall, is to increase
16 energy expenditure, and that is the output side of the
17 equation, and that can be accomplished really through a
18 voluntary effort as physical activity, or involuntarily
19 through augmentation of the amount of heat your tissues
20 produce, increasing the resting metabolic rate. There
21 are very few agents at present that do that. Really none
22 that are very potent in increasing your energy
23 expenditure separate from physical activity.

24 And, finally, the fourth way, which is, again,
25 not very widely available, is to re-partition the energy

1 in your body. This is done widely in the cattle industry
2 where you can change the proportion of body as fat,
3 muscle and bone, using various hormones. If you
4 repartition the body and all of your weight becomes
5 muscle instead of fat, that's yet another way to change
6 sort of this balance, this energy balance equation, and
7 people have done that -- say, for example, when you go on
8 a diet and you also add some type of physical activity,
9 it can have some influence on the partitioning of energy
10 in the tissues.

11 So, then just to sum it up, most of us are in
12 energy balance. If we change energy balance, we can do
13 that by any one of four ways: reduce intake, absorption,
14 repartitioning and energy expenditure. Thank you.

15 MR. CLELAND: Thank you, Dr. Heymsfield. We're
16 actually a little bit ahead of schedule and that's good
17 because we have -- like I said, we have the eight claims
18 that we're going to go through and we have a limited
19 amount of time. All of these are claims that we could
20 probably spend hours discussing and debating, but we're
21 going to try to distill it down into the matters of mere
22 minutes.

23 I'd like to take this opportunity to introduce
24 Dr. Bruner.

25 DR. BRUNER: Thank you.

1 MR. CLELAND: It's good to see you.

2 DR. BRUNER: The D.C. traffic, I live here, you
3 should know, but it doesn't help.

4 MR. CLELAND: Doctor, everybody took about 30
5 to 60 seconds to kind of introduce themselves and give
6 some background and identify any conflicts that they
7 might have. You want to take that opportunity?

8 DR. BRUNER: Okay. Sure. I'm Dr. Denise
9 Bruner, immediate past president of the American Society
10 of Bariatric Physicians, a group that's been about 51
11 years old, who we are dedicated to the treatment and
12 modification of risk factors and problems related to
13 obesity and weight management. So, I'm here representing
14 a scientific group. I really have no particular interest
15 in any company, but I certainly have a great and vested
16 interest in the health of the American public.

17 MR. CLELAND: Thank you, Dr. Bruner.

18 Dr. Heymsfield, there was one question that I
19 had about your presentation. I wanted to make sure that
20 this just wasn't a misstatement. In a 2,000 calorie
21 diet, did you say 1,000 calories are lost or 100?

22 DR. HEYMSFIELD: A hundred.

23 MR. CLELAND: A hundred, okay.

24 DR. HEYMSFIELD: Absorption.

25 MR. CLELAND: Right. All right, let's move on

1 to a discussion of the specific claims. At the end of
2 the time that we have allotted for the discussion of the
3 claim, I will poll the panel here individually as to each
4 claim, whether in their opinion it's scientifically
5 feasible, not feasible or uncertain. If the discussion
6 does not last the allotted time, whenever the discussion
7 is complete, we'll go ahead and take a quick poll.

8 We're going to start with the claim that, 'The
9 advertised product will cause substantial weight loss for
10 all users.' I've asked Dr. Greene to take the first shot
11 at this particular claim.

12 Before we start, I'd like to give you an
13 example from some ads that we've seen of this type of
14 claim. 'No will power required.' 'Works for everyone no
15 matter how many times you've tried and failed before.'

16 Dr. Greene, is there any product out there that
17 we know of, other than surgery, that works for everyone?

18 DR. GREENE: I don't think so. I guess I can
19 answer that with an affirmed no.

20 MR. CLELAND: Okay. So, in the terms of the
21 framework that we're talking about here, you would say
22 it's not theoretically feasible?

23 DR. GREENE: No.

24 MR. CLELAND: Well, I told you some of these
25 would probably be easy. Anybody else want to add

1 something?

2 DR. HEYMSFIELD: If I can --

3 MR. CLELAND: Yes.

4 DR. HEYMSFIELD: Well, I could probably try and
5 put some numbers on that. If you take the commonly used
6 prescription drugs, Phentermine, Meridia, Xenical, the
7 types of drugs we work with, I think that about a third
8 to a half of people, just as a ballpark, respond to these
9 drugs, and a very good drug response might be a little
10 more than that. But we're very accustomed to non-
11 responders. And one of the outcomes of that is when you
12 report these pharmacologic trials, you report responder
13 analysis, the number of people who lose no weight, the
14 number of people who lose 5 percent, 10 percent and so
15 on, categorical weight loss. And you do see in these
16 trials that many people either gain weight or don't
17 lose weight even with a pharmacologic agent. So, it's
18 never -- or very, very rarely 100 percent response.

19 DR. GREENE: I could expand a little bit on
20 that on what Steve has already said and that has to do
21 with energy balance. Several years ago when we were
22 developing our live-in calorimeter at Vanderbilt, it
23 became clear that everybody had a different level of
24 energy expenditure at the resting metabolic rate, and for
25 that reason, even if you have the exact same caloric

1 intake, the amount of weight loss is going to be
2 different based on the individual metabolic rates.

3 So, taking that into account, one wouldn't
4 expect everyone to lose at the same amount of rate even
5 if they had good compliance to exactly what they were
6 supposed to be taking in.

7 MR. CLELAND: Dr. Blackburn?

8 DR. BLACKBURN: Well, as a surgeon, I would
9 like to add a footnote. I wish that we could guarantee
10 you 100 percent success with surgery, but we cannot.
11 This happens because if a person doesn't modify their
12 caloric intake, they won't be in compliance with the
13 principles that Dr. Heymsfield has told you and they can
14 not lose weight and regain weight and weigh more. Also,
15 there are people who are intolerant to the surgery, that
16 need to have the surgery reversed. That would be another
17 criteria.

18 And, finally, surgery is reserved for a
19 selective group of population, so not every person who
20 has a problem with severe or morbid obesity, anything
21 more than 100 pounds overweight, is a candidate for
22 surgery.

23 MR. CLELAND: Tony or Anthony?

24 MR. ALMADA: Harkening back to what Dr. Hubbard
25 said in his introductory comments, with the revelation of

1 the human genome and given the intensive quest for a
2 suite of obesity genes, which apparently is not one gene
3 but a multiple cluster of genes, perhaps it may be very
4 distant or unrelated. I think it is feasible that there
5 will be, at some time, an ability to detect an agent or a
6 delivery system that would enable anyone to lose weight.
7 The question is, how long will it be, and that will also
8 change the landscape of marketing to individuals, not in
9 the drug realm, but in the over-the-counter or the on-
10 the-shelf realm, self-care realm.

11 How can we find an agent that would fit you as
12 an individual that would be efficacious and safe and
13 minimize the chance of it becoming a non-responder? So,
14 I think it is definitely feasible.

15 MR. CLELAND: Would you say at the current time
16 it's feasible?

17 MR. ALMADA: I would say it is not.

18 MR. CLELAND: Dr. Stern?

19 DR. STERN: Yeah, I would add probably not
20 feasible within the next five years or the next ten years
21 because it's such a complicated area.

22 MR. CLELAND: Dr. Hubbard?

23 DR. HUBBARD: Just to further comment, even if
24 there are developments relating to increased genomic
25 information that becomes available, I still do not think

1 it's feasible that any one product will work for all
2 people.

3 MR. CLELAND: Dr. Stifler?

4 DR. STIFLER: It might be helpful, Richard, if
5 you could read that list again of products that we are
6 talking about because, clearly, if people go on a
7 restricted calorie diet, using Dr. Greene's product, for
8 example, you will lose weight and everybody would lose
9 weight. So, can you narrow down again exactly what we're
10 talking about?

11 MR. CLELAND: Right. We're talking about, to
12 the extent there is an OTC drug category, OTC drugs,
13 dietary supplements, creams, wraps, patch devices,
14 patches, those types of products.

15 DR. BRUNER: I'd just like to add, you know,
16 looking at the medical model when we treat hypertension,
17 there are a multiplicity of agents because there are
18 multiple modalities that play a role in the effective
19 treatment of hypertension. So, again, to say, using a
20 beta blocker as the one treatment, I think that's the
21 same analogy. Using a beta blocker will treat all
22 hypertension, using one thing can treat all obesity.

23 MR. CLELAND: Dr. Yanovski?

24 DR. YANOVSKI: Yes. I think it's also
25 important -- in the example you gave it says, no

1 willpower required, works for everyone no matter how many
2 times you've tried and failed before, that, well yes,
3 people can lose weight if they take in fewer calories.
4 This assumes that everyone is going to use a certain
5 product that may require taking in fewer calories. So, I
6 don't think one can make the assumption that everyone is
7 going to adhere to a certain regimen and lose weight with
8 any of these products.

9 MR. CLELAND: Although I did -- my assumption
10 here is not that it's a question of adherence, but it's a
11 question of just being -- the agent, itself, being
12 capable of producing weight loss in everyone who uses
13 that particular agent.

14 DR. YANOVSKI: Well, I'm making the assumption
15 here -- let's say there was a dietary supplement and it
16 tells you to use that dietary supplement and a certain
17 way to use it. I guess you're excluding meal
18 replacements. But if it says to use it with a certain
19 dietary regimen and that dietary regimen caused you to
20 eat fewer calories, everyone, if they adhered to that,
21 might lose some weight. That's the only caveat.

22 MR. CLELAND: Yes? Dr. Wadden?

23 DR. WADDEN: Just going back to what Dr.
24 Heymsfield said, that whenever you have a product of any
25 kind, you're going to find a distribution of responses in

1 people. Say if the average weight loss for people is 10
2 pounds with a product, you will have a distribution such
3 that 15 percent of individuals who receive the product
4 are going to lose less than three or four pounds. This
5 is just a bell-shaped curve normal distribution.

6 So, just about any product you give, you'll
7 have a tail-end that does very poorly and another tail of
8 the distribution that does very well. So, no product is
9 going to produce substantial weight loss for all
10 individuals regardless of what product it is.

11 DR. GREENE: I guess the caveat is -- the way
12 this reads is substantial weight loss and all users, and
13 in biological systems, it's never all, right?

14 MR. CLELAND: Okay. More discussion? Dr.
15 Heymsfield?

16 DR. HEYMSFIELD: Well, maybe I'm preempting
17 later questions, but is there a number we should put to
18 substantial?

19 MR. CLELAND: Well, to sort of -- yeah. I
20 would say that for the purpose of this question, unless
21 it's necessary and unless there's a sentiment that it
22 needs to be done for this question. I agree that with
23 regard to some of the later questions we will, based on
24 our previous discussions, need to define some of these
25 terms. The question is whether we need to define that

1 for this particular claim.

2 DR. HEYMSFIELD: I guess I don't think you do
3 because by having the word "all" users in there, I think
4 it pretty much implies that this question is valid as it
5 stands; in other words, that all people won't lose
6 substantial weight from most, if any, products.

7 MR. CLELAND: Dr. Stern?

8 DR. STERN: Rich, I would even feel comfortable
9 modifying this question. The advertised product will
10 cause weight loss for all users, and I would say all
11 users will not lose weight. So, I don't even think it
12 has to be substantial. It could be Tom's two or three
13 pounds in, what, six, 12, 14 weeks or even six months.

14 MR. CLELAND: Any of the panelists have an
15 objection to that modification?

16 DR. STIFLER: I think substantial makes it more
17 conservative, and if somebody makes a claim that there's
18 substantial weight loss, whether they say 10, 20 or 30
19 pounds, that makes it even less feasible. So, if you
20 want a conservative approach, you use substantial and all
21 users. I think it sounds pretty unanimous that that's
22 simply not feasible.

23 MR. ALMADA: Rich, I would add, if I may, that
24 given the objective of marketing and namely advertising
25 in the context of this discussion, an operative modifier

1 needs to be placed that would convey to the prospective
2 buyer of the product a magnitude of change that goes
3 beyond just one pound or half a pound. So, I think it
4 would be wise to retain substantial.

5 MR. CLELAND: Well, unless there's an
6 objection, let's retain substantial then and I think
7 we'll poll on this question. Actually, on the polling,
8 we will start off at one end and move down, and then on
9 the next time, we'll go on the other end, so, Anthony,
10 you don't always have to be the first person to indicate.

11 So, the question is, is this claim
12 scientifically feasible? Yes, no or uncertain on this.

13 MR. ALMADA: Uncertain.

14 DR. BLACKBURN: No.

15 DR. BRUNER: No.

16 DR. GREENE: No.

17 DR. HEYMSFIELD: No.

18 DR. HUBBARD: No.

19 DR. STERN: No.

20 DR. STIFLER: No.

21 DR. WADDEN: No.

22 DR. YANOVSKI: No.

23 DR. WADDEN: I do think it's important -- Rich,
24 down here, it's Tom.

25 MR. CLELAND: Yes.

1 DR. WADDEN: Just to add, given the current
2 state of the knowledge.

3 MR. CLELAND: Well, that is the assumption for
4 all of these claims, that we're working as the knowledge
5 that we have today.

6 MR. ALMADA: If I may change then, in that
7 comment, change my vote to no.

8 MR. CLELAND: Okay.

9 DR. BRUNER: So, it's unanimous.

10 MR. CLELAND: Okay. Moving on to the next
11 claim: 'The advertised product will cause permanent
12 weight loss.' As an example of this claim, 'Get it off
13 and keep it off.' 'You won't gain the weight back
14 afterwards because your weight will have reached an
15 equilibrium.'

16 Dr. Yanovski, you want to take that one first?

17 DR. YANOVSKI: I'd be happy to. And don't we
18 all wish? I think that anyone who's ever struggled with
19 their weight realizes that the most difficult part of
20 weight management isn't really the initial weight loss,
21 but rather trying to keep that weight off long-term. And
22 so, it's not surprising that consumers would be really
23 taken by a claim that you could use a product or service
24 over the short term and never have to worry about your
25 weight again.

1 And in specific, I was asked to address the
2 fact that you could use a product or service and stop it,
3 and your metabolism, in some way, would be reset and you
4 would not have to worry about your weight.

5 Unfortunately, as we all know, weight regain after weight
6 loss is the rule rather than the exception, and those
7 individuals who do manage to maintain weight losses over
8 the long term do so by changing their diet and changing
9 their physical activity.

10 And, in fact, there is a weight maintainers'
11 registry run by Doctors Jim Hill and Rena Wing, in which
12 they are following thousands of individuals now who have
13 lost substantial amounts of weight, at least 30 pounds,
14 and maintained a weight loss for at least one year. And
15 many of these people have kept their weight off for many
16 more years. And the vast majority of them report
17 carefully monitoring their diet, and they report high
18 levels of physical activity.

19 Just as we talked earlier about the analogy
20 with the hypertensive drug, if you've been taking a
21 medication to control your blood pressure and you stop
22 the blood pressure medication, we can expect that blood
23 pressure will go back up. Similarly, when you remove an
24 intervention, whether it's eating fewer calories,
25 increasing your energy expenditure, if a supplement did,

1 in some way, work to increase metabolism, stopping that,
2 you would expect that any benefit from that product or
3 supplement would also be stopped.

4 There are no known supplements, devices,
5 programs that give you a permanent alteration in your
6 body's metabolism, and there is no way that lost weight
7 will be maintained, that we know of, in the absence of
8 taking in fewer calories and increasing your energy
9 expenditures, such as Dr. Heymsfield talked about, to
10 keep yourself in energy balance at that new and lower
11 weight.

12 We also don't know of any products or
13 supplements that will permanently reduce appetite once
14 the supplement's been discontinued. Even in the case of
15 weight loss surgery, which I know we're not discussing
16 today, but that was brought up as an example in which
17 patients lose a large amount of weight and keep much of
18 that weight off for years, there's an ongoing
19 intervention. If you have weight loss surgery, you've
20 reduced your stomach capacity. If you've had a bypass
21 component, you're also reducing the number of calories
22 that are coming in.

23 So, if we're looking now to say, can we
24 advertise a permanent cure for obesity in which a time-
25 limited treatment is going to lead to permanent changes

1 in body weight, my conclusion is that, at this point,
2 that doesn't exist and it's not likely to exist in the
3 foreseeable future.

4 MR. CLELAND: Dr. Greene?

5 DR. GREENE: Based on the question and based on
6 the response, I just had a question. You're assuming
7 that this permanent weight loss will continue in the
8 absence of continued treatment if I understood the
9 argument from Dr. Yanovski. Is that correct?

10 MR. CLELAND: That's the assumption of the
11 question, yes.

12 DR. GREENE: So, do we need to modify that to
13 make certain it says that this product will be ceased,
14 will be no longer used, and therefore, the weight loss
15 will continue? Does that imply then if you do continue
16 the use of the product that the weight loss could be
17 permanent?

18 DR. YANOVSKI: At this point -- I was asked by
19 Rich to look at the question of even when it's
20 discontinued. But I have no trouble right now with
21 saying that I'm not aware of any products or supplements
22 that will give you permanent ongoing weight loss even if
23 they're continued, even in the case of weight loss
24 medications, which may help -- and we're not discussing
25 prescription medications -- but which may help you

1 maintain a lower weight over an extended period of time.
2 There is still some degree of weight regain even if you
3 continue on the medication.

4 MR. CLELAND: Dr. Greene?

5 DR. GREENE: But in the Weight Loss Registry,
6 you said that these people had maintained the weight
7 loss.

8 DR. YANOVSKI: Yes, that's correct. And
9 they --

10 DR. GREENE: So, that would have to be
11 qualified with the caveat then that if you continue on
12 that dietary regimen, the weight loss would be able to be
13 maintained.

14 DR. YANOVSKI: Well, it depends on what we're
15 talking about here. The people on the Weight
16 Maintainers' Registry are generally -- they're eating
17 fewer calories and they're exercising and I think that
18 the idea here is that people are talking not about
19 dietary regimens. We're specifically excluding low
20 calorie diets and physical activity programs. But rather
21 that there is some weight loss device, supplement that
22 will produce permanent weight loss, in which you cannot
23 modify your diet and physical activity and yet in some
24 way your metabolism is reset so that you no longer have
25 to worry about it. Is that correct?

1 MR. CLELAND: I think that that is correct. I
2 mean, you know, going back and we'll probably have to
3 keep reminding ourselves of the class of products that
4 we're talking about here, you know, the dietary
5 supplements, creams, wrap, OTC drugs, and those types of
6 products, and, you know, just in terms of -- I'll throw
7 this out as a question.

8 The assumption here -- well, let me first say,
9 the assumption here is this is an unqualified claim, so
10 that I guess the way that I'm interpreting this question
11 and the way we meant this question to be interpreted,
12 unless you tell somebody that, yeah, this will work as
13 long as you keep using the product, the implication is,
14 if you tell them it's permanent weight loss, that I can
15 use up the bottle, I'll lose the weight and it will stay
16 off. Unless you tell me otherwise, that's what I'm going
17 to assume. So, that is the assumption of the question.

18 Now, the one question I have is that there are
19 some products out there that claim to affect the ratio of
20 body fat to lean muscle mass, and whether or not -- if
21 that is true, would that result in permanent weight loss
22 and part of that may be the question of, is there enough
23 of this conversion, do we see evidence of enough of this
24 conversation that it's going to be significant in the
25 long run?

1 DR. GREENE: No.

2 MR. CLELAND: Dr. Stifler?

3 DR. STIFLER: I don't know if I'm missing
4 something here, but going back to the previous question,
5 isn't it kind of irrelevant, permanent weight loss?
6 Since you're not going to get the weight off with these
7 products in the first place, then the issue of permanent
8 weight loss becomes somewhat meaningless. So, clearly,
9 from the previous question, the answer has to be it's not
10 feasible because you're not going to get the weight off
11 anyway. Aren't they implying that when they say that?

12 MR. CLELAND: Anthony?

13 MR. ALMADA: I think, in part, we're exercising
14 an argument of ignorance because no one has done a long-
15 term perspective trial evaluating an agent, an over-the-
16 counter agent that's ingested in a solid dosage form or
17 applied to the skin. We can't answer that from a basis
18 of logic and evidence. We're simply speculating.

19 Now, the question is, is there a group like Jim
20 Hill's group, actually their group also engages in a low-
21 fat diet and, also, they eat breakfast, a typical finding
22 among their long-term, non-recidivistic weight losers, is
23 there a group that has been doing that or following along
24 prospectively people that are actually taking these types
25 of products? And I would say the answer is no. So, we

1 have to answer this from a question of not knowing rather
2 than knowing.

3 MR. CLELAND: Well, let me follow that up with
4 a question of, okay, what kind of mechanism would have to
5 exist in order for there to be a permanent weight loss
6 from the use of an OTC product or a dietary supplement?
7 What would you have to do to the body permanently for
8 that to have an effect?

9 MR. ALMADA: Well, like Dr. Heymsfield related,
10 I think there are two or three things that could be done.
11 They, perhaps, would be toxic outcomes. One would be
12 affecting the gut, what's absorbed or actually an
13 increased amount of excretion or affecting one of the
14 appetite centers in the brain so you just don't eat as
15 much, forever. Forever.

16 MR. CLELAND: Is that --

17 MR. ALMADA: Basically, an oral surgery, so you
18 ingest something and it does a surgical deletion to a
19 part of the body that effects a change wherein they don't
20 store or process calories in the way they used to, or
21 they burn much more than they had in the past.

22 My comment was related to chronic use versus
23 cessation of use, and you're claiming -- you used the
24 word or the descriptor "afterward" implying either after
25 cessation of an agent or after the weight loss is

1 achieved, which is important.

2 MR. CLELAND: Dr. Stern?

3 DR. STERN: Well, I do -- if you look at the
4 ads and you, perhaps, look at the interpretation that
5 consumers put on the ads, I really believe that what
6 we're talking about is permanent weight loss even after
7 you stop using the product. We certainly do have some
8 evidence in the drug area with mechanisms, something like
9 Xenical, which prevents the absorption of about a third
10 of the fat that you eat. There are long-term trials that
11 show that you can take weight off and keep weight off for
12 over a two-year period. But certainly, when you stop
13 using the medication, weight is regained. There isn't
14 anything permanent about that weight loss.

15 And so, I think that here we have to be very
16 conservative and say, when we stop using the product, is
17 there any evidence or anything, in fact, that the weight
18 loss is permanent?

19 MR. CLELAND: Um-hum.

20 DR. STERN: I would have to answer no.

21 DR. YANOVSKI: And I would go even further than
22 Judy because I would say, even with the prescription
23 medications, you don't maintain --

24 DR. STERN: Right.

25 DR. YANOVSKI: Most people don't maintain all

1 of that weight loss. Even on medication there is still
2 some regain. So, I think it's an unrealistic claim
3 regardless.

4 MR. CLELAND: Okay. Well, I'm going to poll
5 the question starting with the other end this time, Dr.
6 Yanovski.

7 DR. YANOVSKI: I would say it is not
8 scientifically feasible.

9 DR. WADDEN: Not scientifically feasible.

10 DR. STIFLER: Not scientifically feasible.

11 DR. STERN: Not.

12 DR. HUBBARD: Not.

13 DR. HEYMSFIELD: Not.

14 DR. GREENE: Not.

15 DR. BRUNER: Not.

16 DR. BLACKBURN: Not.

17 MR. ALMADA: An emphatic not.

18 MR. CLELAND: Moving on to the next question.

19 Consumers who use the advertised product can lose
20 substantial weight while still enjoying unlimited amounts
21 of high calorie foods. An example of this kind of a
22 claim, eat as much as you want, the more you eat, the
23 more you lose, and we'll show you how.

24 Dr. Stifler?

25 DR. STIFLER: I think this is related to later

1 question seven, also, on calorie management. Probably
2 just a little quick background. I think there are
3 hundreds of studies indicating that this epidemic of
4 obesity is related to calorie management. As people
5 consume more calories and exercise less, individuals and
6 whole nations gain weight.

7 An interesting article by the USDA that showed
8 that calorie availability to individuals since 1970 has
9 actually gone up 15 percent. So, unlike what most
10 people, I think, believe, we probably are eating more
11 food and we're certainly, everybody agrees, exercising
12 less. So, that probably takes care of the epidemic. The
13 CDC staff said in a JAMA article last year that with more
14 than 60 percent increase in the number of obese
15 Americans, just in the last nine years, this can't
16 possibly be related to biology or physics. So, this is a
17 cultural problem related to calorie management.

18 In terms of the treatment, again, I think there
19 are hundreds of studies showing that there is actually a
20 dose response relationship which makes it even more
21 convincing between the amount of calories you cut out of
22 your diet and the amount of weight you lose and the
23 amount of physical activity that you do and the amount of
24 weight that you lose. So, I think the data is pretty
25 clear on this.

1 The bottom line is you have to manage calories
2 in order to lose weight. So, a claim that you can eat as
3 much as you want or lose substantial weight while
4 enjoying unlimited amounts of high calorie foods just has
5 no support for it whatsoever. And as obvious as that may
6 sound, if we look around, we can see that most people who
7 pick a diet don't necessarily agree or, as you said
8 earlier, they want to believe to the contrary.

9 An interesting study that's been repeated now
10 with 184,000 people, I think, in JAMA, published last
11 year, essentially saying that more than 80,000 of the
12 people who pick a diet pick one that's almost guaranteed
13 to fail because it doesn't relate to managing either
14 incoming or outgoing calories. So, it may be obvious
15 that this claim from the scientific end is groundless and
16 can't happen, but I'm not sure that the public is ready
17 to accept that yet. So, that's probably another reason
18 these ads attract so much attention and people continue
19 to buy these products.

20 MR. CLELAND: Well, we saw examples in both of
21 the clips that we watched this morning. This is an
22 almost universal type of claim in weight loss
23 advertising. Additional comments? Van?

24 DR. HUBBARD: Well, I think that people -- it's
25 human nature to be more receptive to interventions or

1 claims that people want to believe in rather than that
2 may be actually realistic. So, when people hear about
3 these claims, if it's something that they want to believe
4 in, they tend to want to try it, even though if they
5 really thought about it from a rational standpoint, they
6 might have other expectations. But in my mind, again, it
7 is a law of physics and you cannot lose weight unless you
8 change your energy balance.

9 MR. CLELAND: Dr. Heymsfield?

10 DR. HEYMSFIELD: I was trying to look at the
11 sentence and see it. Even if we took out the words 'high
12 calorie' it just says unlimited amount of food. It would
13 still not hold scientific validity in any case. It could
14 be low-calorie foods. It wouldn't matter. The fact is
15 that if you ate an unlimited amount of food, you're not
16 going to lose a substantial amount of weight.

17 DR. WADDEN: Just a comment. Steve, I was
18 thinking the same thing. I think the only caveat you
19 could make is that you ate unlimited quantities of fruits
20 and vegetables or low-calorie foods, eat as much as you
21 want, there's some evidence you can eat a low-fat, high-
22 carb diet and potentially lose weight on that. But even
23 so, I think you're right, if you have unlimited amounts,
24 you're not going to lose weight.

25 DR. HEYMSFIELD: Yeah, it would be close.

1 MR. ALMADA: There's an implicit interpretation
2 here that I can easily discern. If unlimited means more
3 than what you were eating prior to using this agent,
4 that's one scenario. If unlimited means eating to
5 satiety, that's a different scenario. So, if you have a
6 person who's weight stable and they're eating X number of
7 calories per day, they begin using the agent or remedy X,
8 they still are eating as much as they want to, but they
9 could lose weight.

10 MR. CLELAND: Doctor, did you --

11 DR. STIFLER: Well, back to Tom's point again.
12 That's correct, but I've never seen an ad that suggests
13 if you take these pills, you can eat all the broccoli you
14 want. I think these ads always suggest it's the food you
15 really like and the ads clearly show -- are talking about
16 high calorie foods generally.

17 MR. CLELAND: I see the point that you're
18 making here. In one sense, we don't want to get wrapped
19 up in this discussion, in an ad interpretation issue. I
20 think that if looking at the specific example that I gave
21 you, while there might be some people in the world that
22 would discern that, well, I may not want to eat as much
23 as I ate before, therefore, this claim might be true,
24 that's not the way this claim is going to be interpreted.
25 There is a significant number of -- in fact, probably

1 most consumers that look at this type of claim would take
2 away that I can eat everything I want, especially if I
3 see people eating all these cheeseburgers and french
4 fries and all of this kind of food. That's the message
5 it's intended to convey.

6 DR. STERN: And I just had one comment because
7 I'm a nutritionist and I think about food. Let's talk
8 about two Krispy Kreme doughnuts, chocolate covered,
9 creme-filled and --

10 MR. CLELAND: My breakfast this morning.

11 DR. STERN: Right. So, that isn't unlimited.
12 One could potentially eat that a day. And if you put
13 that on top of your diet, that's 680 calories and
14 basically you would gain weight. It would take only
15 about four days for you to gain a pound.

16 And I guess the other way I think of looking at
17 it, for the average person, if there is an average person
18 on the nutrition label who consumes 2,000 calories a day,
19 that would be 34 percent of their daily intake if they
20 didn't overeat. So, I think it makes it very difficult
21 for people to eat unlimited quantities, especially of
22 things like Krispy Kreme doughnuts because they taste
23 good.

24 MR. CLELAND: Are we ready for a poll on this
25 one?

1 Okay, we're going to start on my right this
2 time. Anthony?

3 MR. ALMADA: No.

4 DR. BLACKBURN: No.

5 DR. BRUNER: No.

6 DR. GREENE: No.

7 DR. HUBBARD: No.

8 DR. STERN: No.

9 DR. STIFLER: Unfortunately, no.

10 DR. WADDEN: No.

11 DR. YANOVSKI: No.

12 MR. CLELAND: Unfortunately, you're right, this
13 is like the reality check this morning, folks, and our
14 next workshop is going to be on Santa Claus.

15 Our next claim is: 'Consumers who use the
16 advertised product can lose weight only from those parts
17 of the body where they wish to lose weight.' Example of
18 such a claim is, 'And it has taken quite some inches off
19 my butt, five inches, and thighs, four inches, my hips
20 now measure 35 inches, I still wear the same bra size,
21 though, the fat has disappeared from exactly the right
22 places.'

23 Dr. Wadden?

24 DR. WADDEN: Well, if I can echo my colleague,
25 Dr. Stifler, unfortunately, no, once again. This speaks

1 to the issue of desiring to spot reduce very clearly, and
2 I think there are lots of claims from creams and wraps
3 that if you use this product, you can reduce your thighs,
4 your tush, whatever that unsightly part of your body is
5 that you wish to reduce.

6 It also speaks to the issue of body fat
7 distribution, that we store fat throughout the body.
8 When you think about it, you carry fat in your chest, in
9 the gut, in the legs, the arms, the extremities, and
10 there are differences in body fat distribution. Women
11 tend to store body fat in their lower body to a greater
12 degree than men who store weight in the upper body. I
13 think you've all heard about the differences between the
14 apple-shaped figure, which is the upper body fat
15 patterning, and the pear-shaped figure, which is the
16 lower body fat patterning.

17 Now, unfortunately, when you go on a diet or
18 use most of our conventional weight loss means, you do,
19 in fact, lose weight from all over the body. You lose
20 fat from all of your fat stores. You cannot
21 preferentially reduce from a single fat store. So, that
22 is the difficulty, that you can't, in fact, just turn on
23 those fat stores in the thighs or in the buttocks. In
24 fact, you're going to lose weight from the top as well as
25 the bottom. And the way I heard this said to me most

1 eloquently was by a patient of mine I saw about 10 years
2 ago, and as she was completing a program and had lost
3 about 40 pounds she said, Dr. Wadden, when I started your
4 program, I had a large pear-shaped figure; now, when I'm
5 finishing your program, I have a small pear-shaped
6 figure. And that speaks to the reality that you can't
7 change your body type for the most part.

8 Now, if you have an apple-shaped figure -- if a
9 man comes into your practice and he's got primarily a
10 gut, when he loses weight, you will see a reduction in
11 his gut. You will, however, see that his legs probably
12 get somewhat thinner and that his chest gets somewhat
13 thinner, also. So, even men, with this upper body fat
14 distribution, still are going to lose fat from the
15 extremities and from the lower body as well. It's most
16 pronounced looking when a male loses weight because the
17 gut does remit, does disappear. For the female, she is
18 still going to have prominent hips and thighs. She will
19 actually, in many cases, have a smaller top. So, she
20 will lose her chest and be disappointed and, in fact, the
21 hips will flare almost as much as they did previously.
22 So, you don't see much of a change in it.

23 So, in terms of, is this scientifically
24 feasible, currently, this is not scientifically feasible.

25 MR. ALMADA: Here's where it starts to get

1 interesting. This is the first comment or claim that
2 actually has a scientific evidence base that actually
3 could be used to -- some would use it to refute this
4 claim -- or actually to lend support. There are two
5 scientists of significant distinction, George Bray and
6 Frank Greenway that a couple of panelists here have
7 collaborated with, and they actually have a patent and
8 they developed an agent, or a mixture of a cream that was
9 used to spot reduce. It was a thigh cream. It was
10 introduced in the early '90s. It underwent a
11 resurrection in the past three or four years. It's a
12 very aggressively marketed product by one company based
13 in Utah and they claim spot reduction with a topical
14 application of a regional area of choice.

15 Now, these two scientists of eminent
16 distinction have chosen to take a very low profile, off-
17 the-radar stance. However, going back to their patent,
18 and I believe there have been two clinical trials that
19 have been published, which one of them they were
20 collaborators on, they have evidence, although it may be
21 very specious -- I shouldn't say specious, but rather
22 thin evidence, indicating that this preparation with this
23 composition works. I'm not validating that, but there is
24 some evidence to support this claim.

25 DR. WADDEN: Well, I was aware of that abstract

1 that was published by Dr. Bray and Dr. Greenway and they
2 are very esteemed colleagues, they're good friends, but I
3 have not seen anything published in a reputable journal
4 that has corroborated that initial abstract that was
5 published. And furthermore, I don't think there was good
6 evidence of actual showing fat loss in the thigh. I
7 think that they showed a 'reduction' perhaps in the
8 circumference of the thigh, but there was never an
9 analysis to show that there was a loss of fat. So, I
10 think, perhaps, the word 'specious' is an appropriate
11 word.

12 MR. ALMADA: Well, actually, there was a full-
13 length publication that emanated from their research.

14 DR. WADDEN: Where was that published?

15 MR. ALMADA: Current Therapeutic Research.

16 DR. WADDEN: Thank you. I will go look that
17 up. I wasn't aware of that.

18 DR. STERN: Rich?

19 MR. CLELAND: Dr. Heymsfield?

20 DR. HEYMSFIELD: I think that just expanded on
21 the abstract. I don't think that was anymore definitive
22 than the original abstract, but --

23 MR. ALMADA: But it was a full-length
24 publication.

25 DR. HEYMSFIELD: It was a full-length

1 publication, yeah.

2 DR. STERN: Just to comment, we also did a
3 study just about -- I think just before George did that
4 work -- with a comparable cream, rubbing it on the thigh.
5 The placebo was rubbing a placebo on the opposite thigh
6 and we didn't find any effects.

7 We, also, as I recall, took fat from the area
8 and looked at lipolysis with the cream, without the cream
9 and didn't find effects. So, I can't confirm it and
10 really think that clinically or practically, it doesn't
11 result in significant effects.

12 MR. ALMADA: My comment was not to validate the
13 claim, but rather just to give a perspective. I would
14 actually agree that the techniques that are available
15 right now to assess regional fat loss have not been
16 applied to that actual type of remedy or product.

17 DR. STERN: But, I guess -- I would agree that
18 potentially it might be scientifically feasible, it might
19 be. If you could have a delivery system that could
20 really penetrate, but practically, right now, there's
21 nothing to my knowledge that's out there.

22 DR. WADDEN: I think that's an important point.
23 That's why I kept asking. Are we talking about the
24 current state of knowledge or what is theoretically
25 feasible?

1 DR. STERN: Theoretically.

2 DR. WADDEN: I think theoretically it could be
3 feasible as we learn more about fat cell morphology and
4 function, but right now it is not scientifically
5 feasible.

6 DR. BLACKBURN: Rich, can I just ask Dr.
7 Heymsfield, in weight loss, now that you have a regional
8 MRI and DEXA, does the fat reduction come off
9 proportionally or are there certain phenotypes that
10 selectively reduce the weight in some spots versus
11 others?

12 DR. HEYMSFIELD: Well, the limited information
13 we have is that there are tremendous variations in how
14 people lose weight, but that's not under their control or
15 any pharmacologic control. But when people lose weight,
16 they lose it very differently. It depends on age, race,
17 a high variety of factors.

18 DR. WADDEN: And just a follow-up, in the
19 limited number of studies that I've seen that we've done,
20 also, is that we've looked at people when they've lost
21 weight and found that they looked like they've lost the
22 same proportion of weight from the upper body and the
23 lower body, that you don't even -- with people with
24 visceral obesity, they do lose weight clearly from that
25 depot, but they're still going to lose some weight from

1 the lower body as well, and often, the same proportion of
2 weight is lost.

3 DR. HEYMSFIELD: I don't know if this helps us,
4 but just for discussion, the absence of studies on this
5 topic, not just negative studies, but the absence of
6 studies, speaks volumes, I think. Often, scientists, you
7 know, don't indulge in publishing negative results, and I
8 think that could be a big part of what you're seeing here
9 is that if this really did work, say these spot creams,
10 the technology is out there to really investigate this
11 thoroughly, I honestly think it would have been reported.

12 DR. BRUNER: Dr. Heymsfield, just a question.
13 I was wondering if you were aware of any particular
14 studies looking at the effective recombinant human growth
15 hormone just as it is a catabolic agent in terms of just
16 overall general fat loss.

17 DR. HEYMSFIELD: I think, in fact, there's an
18 article in JAMA this week, right, showing growth hormone
19 does reduce total body fat, yes.

20 MR. CLELAND: Are we ready for a poll? Dr.
21 Yanovski?

22 DR. YANOVSKI: Under theoretically plausible, I
23 would say that that would be yes, and under
24 scientifically feasible, at this point, I would say no.

25 DR. WADDEN: No, given the current knowledge.

1 DR. STIFLER: Agreed, no.

2 DR. STERN: So, theoretically plausible, yes;
3 scientifically feasible, no.

4 DR. HUBBARD: Currently, no. It's theoretical
5 that there may be opportunities in the future, but it
6 would require further investigation.

7 DR. HEYMSFIELD: Yes and no.

8 MR. CLELAND: I understand that.

9 DR. BRUNER: Okay, yes and no.

10 DR. BLACKBURN: Yes and no.

11 MR. ALMADA: Yes and uncertain.

12 MR. CLELAND: Okay, all right. Well, now we're
13 going to move on. The next claim is: 'The advertised
14 product will cause substantial weight loss through the
15 blockage or absorption of fat or calories.' An example
16 of such a claim is, 'Lose up to two pounds daily. The
17 named ingredient can ingest up to 900 times its own
18 weight in fat, that's why it's a fantastic fat blocker.'

19 This is one of the -- the question, I think, at
20 this point where we may get into a definitional issue on
21 substantial weight loss given particularly the data on
22 Xenical and, perhaps, some others. So, Dr. Stern, do you
23 want to address this first?

24 DR. STERN: And I guess I should give this
25 disclaimer now. We got funding from a Napa County DA's

1 Office to study Fat Trapper Plus from Enforma, and the
2 results of that study were published in the January issue
3 of the International Journal of Obesity.

4 MR. CLELAND: Thank you.

5 DR. STERN: So, the way I began to address this
6 question was to ask the question, what would it take in
7 terms of malabsorption of fat to lose one pound a week,
8 two pounds a week, two pounds daily. And in terms of
9 calories, to lose one pound a week, it would take mal-
10 absorption of about 500 calories a day or about 55 grams
11 of fat. To lose two pounds a week, it would take mal-
12 absorption of about 1,000 calories or about 110 grams of
13 fat. And to lose two pounds daily, it would take mal-
14 absorption of more than 7,000 calories and that would be
15 about 750 grams of fat daily.

16 And I guess in my clinical experience, I have
17 never had a patient, even a patient that I studied when I
18 was at the Rockefeller University, who weighed 500
19 pounds, that took greater than 7,000 calories to maintain
20 his weight, and we're not talking about marathon runners,
21 triathletes, whatever they do in a day to run a
22 triathlon. But that's the limit of that.

23 Now, the question would also be, with Xenical,
24 the observations, Xenical, taken as directed, if you have
25 a relatively high fat diet, meaning not a low-fat diet,

1 you mal-absorb about a third of your fat calories, and
2 the problem is greater than that, you get great GI
3 disturbances. One of the problems with Xenical is if you
4 mal-absorb too much fat, you have very loose stools. We
5 would call it, as lay people, diarrhea. It can be
6 explosive. There can be great gastric upset, a lot of
7 pain. And so, that's the other problem that one would
8 have to look at.

9 So, now, when we look at actually, perhaps, the
10 study that we did with Fat Trapper Plus, which certainly
11 has made a number of these claims. What actually
12 happened? We studied a limited number of people, the
13 seven young men, they normally ate about 110 grams of fat
14 a day. They were active, so we didn't have to increase
15 their cardiovascular risk. And what we did was we put
16 them on a prescribed amount of food that maintained their
17 weight. It was frozen food, it was Haagen-Dazs ice
18 cream, you name it. They liked it, they ate it. And at
19 some point, we gave them charcoal markers to see what
20 feces were associated with what diet.

21 At another point, they had a four-day
22 supplement of this chitosan supplement, taken in excess
23 than directed. They were getting about four or so grams
24 of this supplement. And there wasn't any significant
25 mal-absorption of fat. The actual number was about

1 seven-tenths of a gram of fat a day. It wasn't
2 significant from the prior period, and we estimated that
3 it would take over a year if this were significant, which
4 it wasn't, for them to lose a pound of fat based on mal-
5 absorption of fat using this fat blocker.

6 So, even if the seven-tenths of a gram were
7 true, or even if the seven-tenths of a gram became two
8 grams, I mean, it still wouldn't meet my definition of
9 substantial weight loss because -- Tom, I'm sure you can
10 comment on this -- a pound in a year or even two pounds
11 in a year really wouldn't meet the claim of substantial.

12 If we then go on to talk about a pound a week,
13 perhaps meaning substantial, but I don't think a pound a
14 week would be substantial to the consumer. Again, that's
15 mal-absorption of 55 grams of fat a day. I would
16 anticipate, based on the Xenical studies, that that would
17 create great GI disturbances and people wouldn't be on
18 it.

19 And some of the side effects that are claimed
20 for these products are loose stools and/or constipation.
21 Obviously, they're completely opposite.

22 Two pounds a week, which comes closer to my
23 definition of substantial weight loss, would result,
24 again, in mal-absorption of about 110 grams of fat a day,
25 and two pounds daily is just out of the realm.

1 So, I don't think -- theoretically, is this
2 feasible, perhaps. I don't think it's even feasible,
3 theoretically. Scientifically, is it feasible? I don't
4 think so. But I'd be interested in my colleagues'
5 comments on this.

6 MR. CLELAND: For the next -- just based on
7 what Judy said there, let's assume for the rest of this
8 discussion -- and we may notch it up or down, but for our
9 discussion now, let's assume that we're talking in terms
10 of substantial weight loss as something that exceeds more
11 than a pound a week. Again, we can adjust that up and
12 down, but let's discuss that as part of our discussion of
13 the claim.

14 Anyone else?

15 DR. HEYMSFIELD: Do you mean that we should use
16 this term "substantial" for --

17 MR. CLELAND: For this question.

18 DR. HEYMSFIELD: For this question only?

19 MR. CLELAND: For this question only, we're
20 looking at -- and this is the first time where we've sort
21 of had to, I think, think in terms of what do we mean in
22 this context by substantial weight loss.

23 DR. BLACKBURN: Rich, I wonder if it shouldn't
24 be a half a percent of body weight per week. I mean, we
25 could have a huge range from a little over 100 pounds to

1 300 or 400. But if you make it a half a percent of body
2 weight per week so the median would be a pound per week,
3 to fit other definitions that have been used by other
4 government agencies in talking about safe, effective
5 changes in body weight.

6 MR. CLELAND: Generally, what would a half a --
7 I mean, in terms of a generalization across populations,
8 what would a half a percent of body weight per week --
9 what does that look like in terms I would understand?

10 DR. BLACKBURN: For a 200-pound person, it
11 would be a pound a week.

12 MR. CLELAND: For a 200-pound person?

13 DR. STERN: But if we say that it has to be
14 more than a pound a week sort of in baseline, George, we
15 almost would be talking about two pounds a week, so it
16 would almost be a percent -- 1 percent a week if you were
17 200 pounds. But it would be four pounds if you were 400
18 pounds.

19 DR. BLACKBURN: I'm just talking back to the
20 U.S. Dietary Guidelines. I think when they're advising
21 changes of weight of a half to 1 percent, you know,
22 thought to be one to two pounds per week by the
23 scientific and health guidelines for the rate of safe,
24 effective change in body weight.

25 DR. GREENE: So, you're suggesting use both?

1 DR. BLACKBURN: Well, my concern is if you just
2 use pounds and don't translate it into percent, we
3 already have on the table 400-pound people for the most
4 rapidly-growing population in America in the area, and
5 the average body weight, and if we tie it to a percent,
6 we're just like the BMI, we will probably avoid having
7 exceptions that someone would debate us about.

8 DR. STIFLER: Richard --

9 MR. CLELAND: Well, let me -- yes?

10 DR. STIFLER: We're going to probably visit
11 this issue on the last question, which deals more with
12 safety in terms of weight loss. This deals more with the
13 mechanism. I would agree with George that it's still
14 probably individual. But certainly, in the issue of
15 safety, it needs to be highly individualized. So, you
16 couldn't just say one or two pounds. You have to look at
17 it as a function of the weight of the individual. We
18 could do this here, too, although I don't think it's
19 quite as critical when we're dealing with the mechanism
20 as opposed to the safety and the effect on the
21 individual.

22 DR. WADDEN: Rich, Tom, a couple of comments
23 down here.

24 MR. CLELAND: Yes.

25 DR. WADDEN: Just going back to some of the

1 things that Judy said. If you look at the product that
2 has been best studied to date, which is Xenical or
3 Orlistat, Orlistat blocks the absorption of about one-
4 third of the fat that you consume a day, and the
5 manufacturers of the drug say, well, you can't eat more
6 than about 60 grams of fat a day or you're going to have
7 terrible GI side effects, which you, in fact, do. So, 60
8 grams of fat a day you'll block one-third of that, that
9 means you've blocked the absorption of 20 grams of fat.
10 That's just 180 calories a day that you've blocked. And
11 based on fat blockage alone, if you just go with that,
12 you're only going to lose about a third of a pound a
13 week. So, it's very, very modest before you're going to
14 start to run into some very serious GI side effects.

15 Now, people sometimes lose more than a third of
16 a pound a week on Orlistat, but they do so by decreasing
17 their calorie intake overall. So, they reduce their
18 calorie intake and they may, in fact, reduce their fat
19 intake even below this 60 grams a day. So, I don't think
20 that we have anything currently that's going to approach
21 a two-pound weight loss from blocking fat absorption
22 without running into sort of horrendous GI side effects.
23 I don't think there's any empirical evidence we have
24 anything that works, though, beyond what I've seen with
25 Orlistat.

1 MR. CLELAND: Van?

2 DR. HUBBARD: I think on this particular
3 question, I don't think we need to get into the issue of
4 whether we use pound or percent. I think this is
5 relatively straightforward and I think go with the
6 simplest answer in regard to causing blockage of
7 absorption of calories. I think where we get into the
8 issues of how we should express the amount of weight
9 loss, that's really on the safety issue.

10 DR. HEYMSFIELD: I think mal-absorption has
11 been very well studied as a means of weight loss. For
12 example, the oleo bypass surgery produced significant
13 mal-absorption. Olestra, compounds like that, you could
14 replace out all the fat in the diet with olestra and you
15 get very substantial mal-absorption. I think what would
16 worry me and what is known is the incredible side effects
17 that we've heard everybody talk about, and also, the fat
18 soluble vitamin deficiencies and kidney stones and all
19 kinds of medical side effects that are rife with mal-
20 absorptive therapy.

21 So, it seems to be really implausible that you
22 could produce this with anything that we now know about
23 that's in the categories of agents you talked about and
24 that would actually be safe.

25 MR. CLELAND: Well, am I getting the sense here

1 that the panel may feel that we don't necessarily need to
2 define substantial weight loss for this question, that
3 they're comfortable with 'substantial' weight loss is not
4 achievable through this mechanism --

5 DR. STERN: I guess I'd go back to what Tom is
6 saying is that to lose that pound a week, you'd have to
7 mal-absorb 55 grams of fat a day.

8 MR. CLELAND: Okay.

9 DR. STERN: And even with Orlistat, we're
10 talking about only 20 grams mal-absorbed a day. It's
11 prescription. It's been well-tested. You go much
12 higher, you get really significant side effects. So, it
13 isn't scientifically feasible now, I don't think.

14 DR. YANOVSKI: I think it's just important that
15 this is not to say that medications, you know, such as
16 Orlistat don't work in terms of decreasing fat
17 absorption. They clearly do. But the amount of calories
18 lost is really modest, and that if people lose
19 substantial amounts of weight, it's because, perhaps, to
20 avoid symptoms or because of following a doctor's advice,
21 they're also consuming fewer calories. That if someone
22 makes a weight loss claim that through fat absorption or
23 fat blockage alone, any product is going to lead to large
24 amounts of weight loss, that this is not right now
25 plausible.

1 MR. ALMADA: Rich, one comment.

2 MR. CLELAND: Yes.

3 MR. ALMADA: I think we have a discussion here
4 -- a dichotomy. One is pharmacology, the other is
5 clinical outcome. And independent of the mechanism,
6 there are some data that suggest that blockage of
7 absorption and calories or presumed blockage of
8 absorption of calories yields weight loss that could be
9 four, five, six or seven pounds. The data or the studies
10 that are designed are less than rigorous. The methods
11 used to measure body composition are anemic at best.
12 There's a new category of agents that goes beyond that in
13 fat, actually goes on the absorption of carbohydrates.
14 There's a drug called Acarbose, the generic name marketed
15 by Bayer. And in their studies, they have not shown
16 robust weight loss among people that are taking it
17 primarily for Type 2 diabetes.

18 There is a bean extract that has undergone a
19 resurrection in a study done in alliance with UCLA
20 presented earlier this year at a trade show. It showed
21 some substantial weight loss associated with an agent
22 that would achieve weight loss through a mechanism by
23 absorption -- inhibition of absorption of carbohydrate
24 calories. If that is a method of action, to the
25 consumer, ultimately, it's irrelevant. Do I lose weight?

1 That's what counts.

2 DR. YANOVSKI: I'm not aware of a study showing
3 significant weight loss with Acarbose, and also, are the
4 studies you talked about, have they been published in
5 peer review journals -- of the bean extract?

6 MR. ALMADA: My comment was there are no --
7 that's not typically found in weight loss with Acarbose
8 use. The studies on chitosan, there are a number
9 published primarily by one gentleman in Italy. Again,
10 those studies are less than rigorous. The study that
11 actually was presented earlier this year will be
12 submitted for publication. But, again, it's just a
13 preliminary indication of a new direction from a
14 marketing and advertising perspective.

15 DR. STERN: I'd go even further. Those studies
16 in Italy were fatally flawed and I've examined those
17 studies in detail.

18 MR. CLELAND: Additional comments?

19 AUDIENCE MEMBER: How were they flawed?

20 DR. STERN: Inappropriate controls, among other
21 things, and --

22 MR. CLELAND: Whoa, whoa. I'm going to poll
23 the question, Judy. I'm going to poll the panel.

24 DR. STERN: Oh, okay, sorry.

25 MR. CLELAND: Okay. I forget which direction

1 we're starting from this time.

2 DR. STERN: Start from the middle.

3 MR. CLELAND: Well, I could. I could start
4 from the middle. Dr. Heymsfield, do you want to begin
5 here?

6 DR. HEYMSFIELD: I don't think this is
7 scientifically feasible. It's not scientifically
8 feasible. It is theoretically possible.

9 DR. GREENE: No.

10 DR. BLACKBURN: No.

11 DR. BRUNER: No.

12 MR. ALMADA: No.

13 DR. HUBBARD: No.

14 DR. STERN: No.

15 DR. STIFLER: No.

16 DR. WADDEN: No.

17 DR. YANOVSKI: No.

18 MR. CLELAND: We are still slightly ahead of
19 schedule, but I think we're scheduled for a break this
20 morning. We were going to do it at 11:00, but I think we
21 will take a 10-minute break at this point and we will
22 start again at five minutes to 11:00.

23 **(Whereupon, a brief recess was taken.)**

24 MR. CLELAND: Everyone take your seat, please,
25 so we can get started.

1 Thank you. Welcome back, and we are, I think,
2 on our fifth claim now. That claim is, 'Consumers can
3 lose substantial weight through the use of the advertised
4 product that is worn on the body and rubbed into the
5 skin,' and essentially the types of products that would
6 be included in this type of claim are creams, wraps,
7 patches, earrings, shoe inserts, rings. An example of a
8 claim; 'Lose weight safely with the original herbal
9 patch, now available in the U.S.A.'

10 Dr. Blackburn, you were going to start with
11 this one.

12 DR. BLACKBURN: Right. I think the first thing
13 we have to harken back to is just how challenging it is
14 to change your behavior to change your body weight, which
15 we've already heard requires that you have some other
16 influence for making decisions about food intake,
17 particularly portion sizes, and exercise. I don't need
18 to repeat that. We also know by virtue of the epidemic,
19 even with the most highly invasive techniques that are
20 possible, including injecting medications, as you do
21 insulin, into the body. As you know, if you inject
22 insulin, it's highly effective in controlling diabetes
23 and blood sugar. We have injectable medicines that have
24 failed to have substantial influence in this regard.

25 Now, if we get to the transdermal patch

1 technology, as you know, that is currently being used
2 effectively for a variety of things, in the intensive
3 care unit, nitroprase or nitroglycerin on patches of
4 different sizes. The higher the dose, the bigger that
5 patch. That you can, in fact, successfully get the
6 effect of that medication. They're currently working in
7 the area of asthma to see if asthma medications might not
8 be able to be worked through in that regard, and perhaps,
9 the best known, of course, as a component of smoking
10 cessation is to use nicotine patches. Now, these all
11 require a unique compound that, in fact, can be
12 effectively absorbed through the skin in a fashion to
13 achieve these narrow goals.

14 So, theoretically, it would be possible to
15 administer a compound or a treatment. The problem in the
16 weight control area is that there is no scientific
17 evidence that -- and controlled trials that have been
18 used in other techniques, as I've already talked about
19 it, injectables or transdermal patches. It is even a
20 less of a rationale of how an instrument in your shoe or
21 wrapped in your body would be able to effect something
22 that would, as we've already heard from previous claims,
23 have to be with you every day to be effective. I think
24 it's generally agreed we have no treatment that if a
25 treatment is stopped, that you will sustain the change in

1 weight loss.

2 So, it would be my opinion, though the
3 technology has been applied other places and, perhaps,
4 there could be a compound that would work, as of the day
5 of this meeting, no such instrument, wrap, patch has any
6 scientific basis.

7 So, it would be my recommendation to say that
8 as of this day, is it scientifically feasible to apply
9 this technology to the weight control area? The answer
10 would be no.

11 MR. CLELAND: Anthony?

12 MR. ALMADA: I think the other underlying
13 discussion element here that is tacit is, is it legally
14 allowable. When you're dealing with something that's
15 transdermal, by definition becomes a drug, and the
16 question is for these patch devices or patch products, do
17 they deliver the agents into the system in circulation.
18 If they do, they are, by definition, a drug. So, now
19 you're entering the purview of the FDA because the
20 dietary supplement has to be ingested through the oral
21 cavity and enter the stomach.

22 The feasibility of delivering, for example,
23 ephedrine and caffeine into -- or incorporated into a
24 patch and rendering an individual responsive to that by
25 delivering to the circulation is very much existent. But

1 I think it's much more an issue of the law rather than
2 science.

3 MR. CLELAND: Anthony, are you aware of anyone
4 who has actually tried to deliver ephedrine or caffeine
5 transdermally?

6 MR. ALMADA: No.

7 MR. CLELAND: Anyone else on this question?

8 DR. HEYMSFIELD: Are there any other types of
9 products that you're considering here, like acupuncture,
10 acupressure, things that are actually worn or placed onto
11 the skin?

12 MR. CLELAND: Well, there have been some
13 products that, at least purportedly, rely on principles
14 of acupressure, not acupuncture, but acupressure as the
15 mechanism for weight loss. These usually, at least, the
16 argument is that they somehow stimulate the vagus nerve,
17 therefore resulting in a reduction of appetite. Now,
18 does that sound theoretically plausible?

19 DR. STERN: I mean, I'm aware of a study,
20 certainly, that George Bray published with an acupressure
21 earring where they were looking at the pressure points
22 for weight, and he found no difference -- and it was
23 published in a peer review Journal -- he found no
24 difference when the earring was tweaked at the pressure
25 point for weight versus a low side that were not

1 associated with weight.

2 MR. CLELAND: I'm also aware of some
3 unpublished research by Dr. Allison on a similar type
4 device that indicated there was no difference over a
5 placebo.

6 DR. YANOVSKI: We actually had a lay activist
7 come to our obesity task force meeting with something she
8 had purchased called the Fat Be Gone Ring that you were
9 supposed to put on various fingers depending on which
10 part of the body you wanted to lose fat from.

11 MR. GROSS: Did it work?

12 UNIDENTIFIED MALE: How many rings do you have
13 on, right?

14 MR. CLELAND: Yeah. I think that in terms of
15 at least the -- probably the most serious types of
16 products that we're talking about in this category would
17 be the patches with the transdermal applications, and
18 perhaps, also, we had talked earlier and I think
19 dismissed, to some extent -- maybe that's not the right
20 word, but we had talked about the cream, the thigh creams
21 earlier would be the other product that might fall within
22 this category as well. And I think, you know, Anthony is
23 absolutely right in terms of the legal issue here, that
24 either of those products, to the extent that they claim
25 to actually cause weight loss, would be, I think,

1 classified as drug products and not -- these couldn't be
2 classified -- let me say it. They couldn't be classified
3 as dietary supplements.

4 That issue aside, though, in terms of the
5 advertising claims for these products is sort of what I
6 want to get at here in terms of whether or not it is
7 scientifically feasible for either of those classes of
8 products to cause substantial weight loss.

9 DR. BRUNER: Rich, would that include the shoe
10 insert slippers, because those are worn?

11 MR. CLELAND: Well, those are included. Again,
12 I didn't get any responses to my question about whether
13 or not it's theoretically plausible that the stimulation
14 of the vagus nerve, through inserting something in your
15 shoe, is even theoretically plausible. So, I'm assuming
16 the answer is probably no.

17 DR. STERN: Actually, Rich, could we ask,
18 again, the question because I'm having trouble with this.
19 Let's say if you could deliver ephedra/caffeine by a
20 patch -- I mean, forget about the law just for a minute.

21 MR. CLELAND: Um-hum.

22 DR. STERN: Could that -- do we have evidence
23 that it could cause substantial weight loss via patch?
24 Could we deliver a significant amount systemically?

25 MR. CLELAND: Well, I am -- I guess every study

1 -- and please help me out here if I have missed something
2 -- that I have seen on those -- either of those
3 ingredients were ingestibles.

4 DR. STERN: Right.

5 MR. ALMADA: It's an issue of basically doing
6 pharmaco and bio-equivalent studies. If you can
7 incorporate the dose and deliver it, theoretically and
8 scientifically, it's plausible that you would be able to
9 achieve a change in body composition.

10 DR. STERN: But legally, now, certainly they
11 couldn't make claims for it as a dietary supplement
12 because it would be a drug?

13 MR. ALMADA: You said to avoid the issue of the
14 law.

15 DR. STERN: I'm adding that now. But then --
16 so, I'm not sure how we answered this question, because
17 it's a drug then.

18 DR. BLACKBURN: Well, I think --

19 MR. CLELAND: I guess the question is -- and
20 we're going to have to address this issue in the later
21 questions in terms of the weight loss effects of ephedra
22 and caffeine and whether or not that is substantial
23 weight loss or as we're going to talk about it. But I
24 guess what I would ask if that -- I mean, does anyone
25 have a question on whether it's scientifically feasible

1 to deliver a dose of caffeine transdermally or a dose of
2 ephedrine alkaloid transdermally?

3 DR. BLACKBURN: Well, I mean, we know the doses
4 of caffeine and the doses of ephedra that are required.
5 Certainly, the bioavailability, I think, is complete of
6 those in the digestive tract. It would only be that you
7 would bypass the liver if you delivered this
8 transdermally. But you'd be talking about several
9 milligrams of ephedra.

10 I mean, I think that the effective doses talk
11 about 25 milligrams four times a day, 75 or -- that would
12 vastly exceed the type of transdermal absorption that we
13 could achieve for the current transdermal activities,
14 such as nicotine, which is -- so, this would be orders of
15 magnitude. I think there's no scientific evidence to
16 think that that would be feasible to achieve the use of
17 ephedra by a transdermal delivery system.

18 MR. CLELAND: And just as an aside, I think
19 that the other point I would make is that in the products
20 in this category it is, I would guess, extremely,
21 extremely unlikely that anyone would attempt to market --
22 that any of the products on the market would be -- the
23 transdermal products would contain ephedrine. I can't
24 think of a good reason, and if someone else can, why
25 one would go to that method of delivery on ephedrine

1 unless -- well, does anybody -- Susan?

2 DR. YANOVSKI: Yeah. I mean, why would you go
3 to any herbal supplement and put it in a patch? I have a
4 little trouble with this particular question because I'm
5 not an expert in pharmacology or drug development. I
6 think that if people are making any kind of a weight loss
7 claim that a patch or any other substance works, they
8 ought to be able to back it up with some science.

9 I think just as there are transdermal nicotine
10 delivery systems or transdermal estrogen delivery
11 systems, theoretically, maybe there could be a
12 transdermal system that delivered ephedra and caffeine.
13 Whether this was safe, whether this was a drug is another
14 question. But I would have to say that I, personally,
15 would be uncertain. I don't know if anybody's working on
16 this, but I certainly wouldn't think that it should be
17 advertised unless there's something to back it up.

18 MR. CLELAND: Are we ready to poll this
19 question? Anthony?

20 MR. ALMADA: Uncertain.

21 DR. BLACKBURN: No.

22 DR. BRUNER: No.

23 DR. GREENE: No.

24 DR. HEYMSFIELD: No.

25 DR. HUBBARD: No scientific evidence.

1 DR. STERN: No.

2 DR. STIFLER: No.

3 DR. WADDEN: No scientific evidence.

4 DR. YANOVSKI: I'll say no for scientific
5 evidence. But if the question is feasibility, I'd have
6 to say uncertain.

7 MR. CLELAND: Well, let me poll the question
8 again since this is the first one we have polled. The
9 question is whether or not given this claim, consumers
10 can lose substantial weight through the use of an
11 advertised product that is worn on the body or rubbed
12 into the skin. Is this scientifically feasible given the
13 current state of knowledge?

14 DR. YANOVSKI: I'll say no for that.

15 MR. CLELAND: Tom?

16 DR. WADDEN: No.

17 DR. STIFLER: No.

18 DR. STERN: No.

19 DR. HUBBARD: No.

20 DR. HEYMSFIELD: No.

21 DR. GREENE: No.

22 DR. BRUNER: No.

23 DR. BLACKBURN: No.

24 MR. ALMADA: No.

25 MR. CLELAND: The next claim, 'Consumers who

1 use the advertised product can lose substantial weight
2 without reducing caloric intake and/or increasing their
3 physical activity.' An example of such a claim, 'U.S.
4 patent reveals weight loss of as much as 28 pounds in
5 four weeks and 48 pounds in eight weeks. Eat all your
6 favorite foods and still lose weight. The pill does all
7 the work.'

8 Anthony, would you start us off on this one,
9 please?

10 MR. ALMADA: One underlying theme that has been
11 alluded to is the mind set of the consumer. Why would
12 they opt to choose or seek a product such as a
13 transdermal or a product that claims to offer magnificent
14 reductions in body weight or fat?

15 There's a culture that I've long called
16 nutritional evangelism where my church and my product
17 offers the way to spiritual enlightenment in terms of how
18 your body looks, and that's a very, very infectious
19 element that's often overlooked.

20 These so-called weapons of mass reduction that
21 exist -- timely -- happen to play upon the emotions and
22 the vanity elements of an individual. And one seeks, as
23 a Holy Grail element, a product that works without
24 changing one's lifestyle habits or features or
25 selections.

1 And when we delve into the evidence, which is
2 the only place that we should be delving into, and that's
3 scientific human studies, well-controlled, using the
4 right techniques to measure changes, we find a number of
5 studies going back at least almost 20 years showing that
6 agents that are available over the counter, that are
7 naturally occurring, can achieve significant reductions
8 in body weight within a period of two to three or four
9 weeks ranging from a certain fiber extract that was shown
10 in '84 in the International Journal of Obesity that
11 produced weight loss of about four and a half, five
12 pounds in four weeks without any changes in eating and no
13 change in physical activity to the advent of ephedrine
14 and caffeine, a synthetic variety, to the advent of the
15 herbal variety of ephedra or another plant source that
16 contains ephedrine and related chemicals, and any
17 botanical or herbal caffeine source, to now some
18 evidence, although albeit preliminary, indicating that
19 green tea or an extract thereof, not the brewed beverage,
20 can produce changes in body weight without changing
21 eating patterns or activity.

22 That was published earlier this year. It was
23 not placebo-controlled, but nonetheless, it did show some
24 evidence. There are studies showing that other agents
25 derived from other parts of the world, when ingested in

1 perhaps economically unfeasible amounts, that most
2 consumers could not afford -- for example, an extract of
3 Garcinia cambogia consumed in large amounts can change
4 body weight. Dr. Heymsfield did probably the best study
5 to date that's been published, at least, on that actual
6 ingredient. He found no effect in a well-controlled
7 study published in JAMA a few years ago. But I would say
8 that there are several ingredients that have been shown
9 in different populations over short periods of time to
10 effect changes in body weight and body composition.

11 The question is going back to previous
12 questions: Do these changes persist after one ceases or
13 does one continue to lose weight incrementally over time
14 if they continue to use the product?

15 MR. CLELAND: Can we, in terms of the issue of
16 scientific feasibility and going back to, for example,
17 the example that I read about 28 pounds in four weeks,
18 Anthony, is that something that these studies would
19 suggest was scientifically feasible?

20 MR. ALMADA: Absolutely not.

21 MR. CLELAND: Is there a rate of weight loss
22 that we can articulate at which we could conclude that
23 weight loss beyond that amount was not scientifically
24 feasible given our current knowledge?

25 MR. ALMADA: The sweet spot appears to be about

1 one pound plus or minus a quarter to a half a pound a
2 week over a limited duration of time.

3 MR. CLELAND: Can you say that again, please?

4 MR. ALMADA: One pound plus or minus a half a
5 pound per week for up to, perhaps, eight, maybe 12 weeks.

6 MR. CLELAND: Dr. Stern?

7 DR. STERN: I would like to go back and ask the
8 question, what constitutes evidence. And, you know,
9 NHLBI and NIDDK published their guidelines and they
10 reviewed level of evidence that's necessary to say that a
11 treatment is effective. And the highest level of
12 evidence you have to have, a randomly controlled trial,
13 do you have to have a control that gets everything except
14 the active ingredient? And, Susan, if I'm stretching
15 this too much, please break in.

16 But, you know, if you don't have an appropriate
17 control group, if the control group isn't getting a
18 placebo, you know, that doesn't constitute the highest
19 evidence, because there is a placebo effect, as Dr.
20 Wadden said, and that can effect, in the short term, 15
21 percent, 20 percent of the people.

22 MR. CLELAND: Yeah, I think that -- I don't
23 think the suggestion is that the studies that were
24 referred to are scientifically conclusive, but that they
25 may be sufficient, that at least in an abstract sense of

1 raising the question of scientific feasibility, even
2 though there may not be conclusive evidence today as to
3 the effect.

4 Now, assuming that that is the case, if we
5 change the question slightly and define substantial
6 weight loss as exceeding a pound a week, does that change
7 our response in terms of scientific feasibility?

8 DR. STERN: But also we have to say, over what
9 period of time, because things that cause fluid shifts
10 can cause substantial weight loss in a week, even five or
11 six pounds of weight loss in a week.

12 MR. CLELAND: Um-hum.

13 DR. STERN: But I think that we also have to
14 look over what period of time and I would look over,
15 let's say, a four to six or an eight-week period of time
16 to sort of sift out those fluid shifts.

17 MR. CLELAND: Dr. Stifler?

18 DR. STIFLER: Just a couple of quick points. I
19 think, given the response to some of the other questions,
20 it would be hard to say yes to this one. It would be
21 illogical. Second, I think most of these ads, the ones
22 I'm familiar with, go back to the very first question and
23 that is, they imply that this is true of all consumers
24 and unless they have disclaimers or qualifiers, they are
25 implying. So, even if there were minimal evidence on a

1 few people, that's really not how the ads are being
2 presented, I think.

3 So, I would say just in terms of what we've
4 already looked at, there isn't a great deal of evidence
5 here, in any event. And I think under what we currently
6 know, it would be virtually impossible to say yes to this
7 and no to the previous questions.

8 MR. CLELAND: Dr. Heymsfield?

9 DR. HEYMSFIELD: The way I read this is that
10 you could lose a substantial amount of weight without
11 reducing your intake and/or increasing your physical
12 activity. Just scientifically, how much you do that you
13 would have to block absorption, change partitioning or
14 increase your resting metabolic rate. Those are the
15 three ways that are left after you eliminate food intake
16 and physical activity. We've already heard that you
17 can't block absorption to the extent that would be safe
18 or effective even. Partitioning, there are no agents
19 that we really know of, and resting metabolic rate, I'm
20 unaware of any compound that will increase your resting
21 metabolic rate safely or to the point that it would cause
22 substantial weight loss. So, I would agree. But
23 theoretically, it's possible.

24 MR. CLELAND: Does it make a difference what we
25 define substantial weight loss as meaning in that

1 context? If there's a -- for example, let's assume --
2 and if I'm wrong on this, somebody give me the right
3 number. Let's assume that a person who sustained a half
4 a pound a week of weight loss for periods of time, four
5 weeks, six weeks, whatever, that clinically that might be
6 significant even though -- I mean, the question is, at
7 that level, the answer to this is not scientifically
8 feasible or do we have to notch that up somewhat over the
9 half a pound a week?

10 DR. HEYMSFIELD: You mean the definition of
11 substantial basically?

12 MR. CLELAND: Yes, yeah.

13 DR. HEYMSFIELD: Well, I would think
14 substantial is more than half a pound a week, but I'll
15 look to others to define that.

16 MR. CLELAND: Dr. Wadden?

17 DR. WADDEN: Just a couple of comments, in
18 terms of what is substantial, I would come back to
19 probably George Blackburn's and Judy Stern's and others'
20 definition that substantial is probably going to be that
21 you achieve a loss of about 5 percent of your initial
22 body weight, because at that point, you do have potential
23 health benefit, you do have potential cosmetic benefit.
24 So, if you lost half a pound a week for 26 weeks and you
25 lost 13 pounds and that was 5 percent, you know, that

1 might be "substantial." So, I would define it medically
2 as well as potentially cosmetically.

3 In terms of what is it on a weekly basis --

4 MR. CLELAND: Yeah. I mean, what is it not
5 just necessarily on a weekly basis, but what is it from a
6 -- I mean, this is sort of where we have to translate the
7 science to the advertising or to the marketing claims.
8 And in a sense, I guess, to be the most direct, that this
9 question reads or our understanding is that substantial
10 here means at least a half a pound a week, do we come out
11 with a different answer than if we say that substantial
12 here means more than, something greater than a pound a
13 week over a period of at least four weeks?

14 DR. WADDEN: Well, going back to the question,
15 I don't think we do come out with a different answer. If
16 you go back to what Steve has just said, that it's going
17 to be impossible, based on what we currently know, to
18 lose even a half a pound a week unless you are reducing
19 your calorie intake or you are, in fact, increasing your
20 physical activity or you are increasing thermogenesis,
21 and I think, as Steve has indicated, we're not aware of
22 any of these products now that are going to result in an
23 increase in thermogenesis producing even a half pound a
24 week.

25 MR. CLELAND: And, certainly, that would

1 include without diet and exercise components.

2 DR. WADDEN: Correct, yeah. Originally, Steve,
3 I wanted to ask, in your study -- I think you've got the
4 best study to date on caffeine/ephedra. Do you see
5 reductions in food intake in those individuals?

6 DR. HEYMSFIELD: You do. I'm not sure how well
7 that was quantified. The food records are not always
8 easy to get accurately, as you probably know. But our
9 impression is that you do see a reduction in food intake.

10 DR. WADDEN: And, so, it does look like weight
11 loss is occurring through reduced food intake rather than
12 by increases in resting metabolic rate.

13 DR. HEYMSFIELD: Primarily. There are some
14 studies reporting increases in resting metabolic rate
15 with caffeine and ephedra, but the effect is a very small
16 effect.

17 MR. ALMADA: I would add that back in the early
18 '90s, the group that's done the most work, based in
19 Europe, has actually ascribed over half the weight loss
20 to at least synthetic ephedrine and caffeine to appetite
21 reduction.

22 DR. STIFLER: Richard, since people may be of
23 different base weights when they take these products, I'd
24 be a little skittish about defining in terms of a
25 percent. If people weigh 400 pounds, you're going to

1 have a different effect. I like substantial because most
2 of the advertising claims define that themselves, you
3 know, lose all the weight you want, et cetera. If they
4 want to say that a quarter of a pound a week is what they
5 mean, then presumably, they'll have to substantiate that.

6 I also want to reiterate my point. If we've
7 said no to the previous six questions, I don't see how we
8 could possibly say yes to this one.

9 DR. STERN: Again, just to amplify, I think
10 that we have to distinguish clinically significant from
11 substantial. They're not always the same thing. So,
12 this half a pound or a pound or a pound of weight loss a
13 week, over time, certainly can be clinically significant
14 as, you know, we've said, if it reaches about 5 percent
15 of initial body weight. But I don't feel that half a
16 pound or a pound a week, or, George, let's talk about a
17 half a percent of body weight, that we can then translate
18 for the consumer into that half a pound or pound a week,
19 that isn't substantial.

20 Substantial, to me, means more as interpreted
21 by the consumer. And I don't even think one pound of
22 weight loss a week, as interpreted by the consumer, is
23 substantial.

24 DR. BLACKBURN: Susan, can I ask you to comment
25 about what's in the U.S. dietary guidelines? I think it

1 makes mention -- it uses the language of a half to 1
2 percent as the safe, effective guidance for weight loss.

3 DR. YANOVSKI: I'm going to defer to Van on the
4 dietary guidelines.

5 DR. BLACKBURN: Van?

6 DR. HUBBARD: Well, as I said, the dietary
7 guidelines basically refers to a general recommendation
8 that you shouldn't lose more than one to two pounds and
9 if you want to -- because of the caveat that some people
10 can be extremely overweight, there is a reference to
11 using it as a percentage. I don't think that's, again,
12 pertinent to this question.

13 From the statements that Steve and others have
14 made, if you don't change your caloric intake and change
15 your level of activity, I don't think there's -- I don't
16 care what level of weight loss you're talking about, it's
17 not feasible to see a reduction in weight that would have
18 any significance.

19 MR. ALMADA: Rich, if I may address a
20 perspective that perhaps my fellow panelists haven't
21 delved into perhaps because of their academic or
22 government focus, and that's the consumer relevance. For
23 the consumer, and Judy was speaking about it, would a
24 pound a week be substantial to the consumer? I would
25 argue that many consumers would find a pound a week to be

1 very substantial and desirable.

2 Given my experience directly and indirectly
3 with marketing science-backed products for weight changes
4 or body composition changes, there are many consumers
5 that seek, as their -- seek the weight scale rather than
6 body composition as their index of performance, and if
7 they see a shift of two or three clicks on a weight scale
8 in two or three weeks, they are enchanted if they have
9 had to do nothing else than just take a supplement or rub
10 a cream on, assuming that the cream works.

11 So, I would argue on behalf of the consumer
12 that substantial to them would be a weight loss that
13 would be desirable and that they could measure easily and
14 freely and that would be using a scale or a dress size or
15 a pants size, in the context of how a consumer would
16 interpret this.

17 We have a tendency, being scientists, to take a
18 reductionist approach and address mechanisms, address
19 clinical significance and impact, which are of utmost
20 importance, but because we're talking in the context of
21 advertising, the consumer relevance, I think, is
22 paramount.

23 DR. WADDEN: Just -- go ahead, Van.

24 DR. HUBBARD: I'd like to hear Tom's comment,
25 but just as a follow-up for education and to also give

1 you an opportunity to provide another guestimate, you're
2 talking about a level of weight loss that the consumer
3 would find useful or significant. How would you
4 interpret the consumer's estimation of how long that
5 weight loss should be there to be substantial or
6 significant?

7 MR. ALMADA: Are you asking me the question?
8 I'm sorry.

9 DR. HUBBARD: Yes.

10 MR. ALMADA: Are you addressing the issue of
11 persistence of weight loss?

12 DR. HUBBARD: Right. You said maybe a change
13 in two to three pounds the consumer would think is
14 significant. If it's two pounds for two weeks and then
15 they're back up to where they were, would that consumer
16 have felt that that was a significant change?

17 MR. ALMADA: Well, let me give you -- again,
18 going back to my sweet spot of one pound a week. I used
19 just a framework of two to three weeks. Here's a
20 classical example that's often used. A woman or a man is
21 going to their 25th high school reunion. I need to lose
22 five pounds in four weeks, and they find a product that
23 fits that description or their objective, to them, if
24 they lose those five pounds or four and a half pounds in
25 four weeks, they are captivated by that product and they

1 will tell their friends and their relatives and their
2 coworkers, this product works, it worked for me. Wow, I
3 lost an inch in my waist. That's all they need.

4 DR. WADDEN: Just a quick comment. First, I
5 don't know a lot about consumers since I'm an academic,
6 but I do think if consumers were happy with one pound a
7 week, we wouldn't be here today because we wouldn't have
8 advertisements about lose a pound a week. I mean, we
9 would have -- the advertisements we're concerned about is
10 lose 28 pounds in four weeks, lose 30 pounds in 30 days.
11 If consumers were happy with a pound a week, we wouldn't
12 be meeting today. It's the fact that they're not very
13 excited about a pound a week is that you have all this
14 advertising that promises so much more.

15 And to reiterate, I'm not an expert on
16 consumers, but in our patients that come to our clinics
17 who are all obese individuals -- these are not
18 individuals just wanting to lose five or ten pounds or
19 whatever. You know, they're folks who want to lose 25 to
20 35 percent of their starting body weight. So, it's a
21 female who's 200 pounds who wants to lose 50 to 70
22 pounds, and a pound a week does not cut it for most
23 people. If it did, you would find that prescription
24 medications were probably selling better. They produce
25 about a pound a week. But that does not keep people's

1 attention. So, I don't think a pound a week for most
2 consumers is very exciting.

3 MR. CLELAND: I'm going to take one more
4 comment and then I have to poll this question so we can
5 move on to our final one.

6 DR. STIFLER: Again, I haven't seen any ads
7 that say lose up to a pound a week. I don't think people
8 would buy that product. But I want to go back to the
9 other issue. Given the class of products that we're
10 talking about, not pharmacological agents approved by the
11 FDA, no product is going to lose weight without reducing
12 caloric intake or increasing physical activity. So, I'm
13 not stuck on substantial weight loss, I'm stuck on weight
14 loss. So, the answer is no, there's no weight loss,
15 substantial or not, if you don't modify those, given the
16 class of products that you've defined for this
17 discussion.

18 MR. CLELAND: Okay. I am going to poll this
19 question, and actually, this one I may poll -- I'm going
20 to poll in a couple of different forms given the
21 comments. First, I am going to poll the question as,
22 'Consumers who use the advertised products can lose
23 weight without reducing calorie intake and/or increasing
24 their physical activity.' Susan, would you start on that
25 one?

1 DR. YANOVSKI: Yeah. Can you go ahead? I'm
2 sorry.

3 MR. CLELAND: I read it without the word
4 "substantial" in the question.

5 DR. YANOVSKI: I'd still say no.

6 MR. CLELAND: Dr. Wadden?

7 DR. WADDEN: I'd say no as well.

8 DR. STIFLER: No.

9 DR. STERN: No.

10 DR. HUBBARD: No.

11 DR. HEYMSFIELD: No.

12 DR. GREENE: No.

13 DR. BRUNER: No.

14 DR. BLACKBURN: No.

15 MR. ALMADA: Based upon the literature,
16 absolutely yes.

17 MR. CLELAND: The other formulation that I'm
18 going to use based on Anthony's suggestion here is -- or
19 in part on his suggestion would be substantial with the
20 understanding that substantial is a mean weight loss of
21 at least a -- greater than a pound a week.

22 Anthony, would you start there?

23 MR. ALMADA: Uncertain.

24 DR. BLACKBURN: No.

25 DR. BRUNER: No.

1 DR. GREENE: No.

2 DR. HEYMSFIELD: No.

3 DR. HUBBARD: No.

4 DR. STERN: No.

5 DR. STIFLER: No.

6 DR. WADDEN: No.

7 DR. YANOVSKI: No.

8 MR. CLELAND: Okay, all right. Let's move on
9 then to the last question or the last claim, and
10 actually, this is very related. 'Consumers who use the
11 advertised product can safely lose more than three pounds
12 per week for a period of more than four weeks.' It's
13 like deja vu all over again.

14 Dr. Heymsfield is going to address this
15 question first and I'm wondering, Doctor, whether you
16 think it's maybe worthwhile to address the question
17 without reference to the word "safe" first and then
18 consider the word "safe" or whether we should take it as
19 a whole.

20 DR. HEYMSFIELD: I think taking it as a whole
21 is probably more desirable this first pass.

22 MR. CLELAND: Okay, let's do that.

23 DR. HEYMSFIELD: Okay. Well, if I'm not
24 mistaken, this is the only one that has numbers in it
25 and, certainly, for me, it makes it the most difficult.

1 I'll just give you my views and then I hope others will
2 contribute. The question comes up first about a rate of
3 weight loss which we're giving here at three pounds per
4 week. I'd like to frame that in a context. We have a
5 little bit of -- actually, we have quite a bit of
6 information about rates of weight loss.

7 If we take the Irish fasters a number of years
8 ago who literally starved and drank nothing but water,
9 they survived about 70 days and lost about 70 pounds or
10 something in that range, about a pound a day. One pound
11 a day or seven pounds per week would be an extraordinary
12 fast rate of weight loss; in fact, a lethal rate of
13 weight loss eventually. These were normal weight
14 individuals, so people who are obese might lose more
15 weight and live a little longer. But that gives you a
16 frame of reference. Seven pounds a week is a very fast
17 rate.

18 Very low calorie diets, Larry is here and he
19 probably can maybe embellish this a little bit, but most
20 very low calorie diets, my impression, produce weight
21 losses in the range of two to four pounds a week over a
22 period of time. These are diets taken under medical
23 supervision. They're usually less than 800 calories a
24 day and there are risks associated with them, and that's
25 why they're usually done or always done under medical

1 supervision. But a rate of two to four pounds a week
2 would be a very high rate of weight loss and nothing that
3 anyone would recommend without medical supervision.

4 We know that from randomized double-blind
5 trials of the two agents we have now, Meridia and
6 Xenical, that at six-month time points, we produced rates
7 of weight loss in a range -- most of these studies had
8 subjects who were 100 kilograms to begin with and lost
9 about 10 kilograms at six months. That would be fairly
10 effective treatment. Fine, that rate of weight loss is
11 about a pound a week, one pound a week. So, that gives
12 you a little bit of a framework.

13 Now, the problem we have interpreting this a
14 bit is that early weight loss by almost any treatment
15 method is fast for the reasons I mentioned earlier; that
16 is you get glycogen and water loss. So, for the first
17 two weeks of almost any diet, you can lose a substantial
18 amount of weight loss, not unusual to lose three to four
19 pounds a week or even more depending if you have fluid
20 overload and other conditions like that. So, it's very
21 fuzzy in that first week or two.

22 But my projection would be -- and this is just
23 a number I'll throw out, that if you lost three pounds a
24 week for the first two weeks, that's six pounds and then
25 come down to a rate which is acceptable to most people

1 for reasons of safety, not under medical supervision, two
2 pounds a week would be the maximum we would recommend.
3 That would come to a weight loss in the ballpark of about
4 10 pounds a month for that first month or two and a half
5 pounds a week.

6 So, the proviso then is, yes, you can lose one
7 pound a day if you'd like, seven pounds a week, but it's
8 not safe and it would only be something done totally
9 under medical supervision. And then at the other end,
10 when we recommend safe rates of weight loss, we're down
11 to something like maximum rates, even for the first
12 month, of about two and a half pounds a week. So, that's
13 sort of my numerical analysis.

14 DR. GREENE: Rich?

15 MR. CLELAND: Yes, Dr. Greene?

16 DR. GREENE: If I'm not mistaken, the data you
17 are pointing to are average numbers, they're not the
18 bell-shaped curve, for example. So, does that change --
19 if you use the upper limit, would that change your
20 approach at all?

21 MR. CLELAND: Steve?

22 DR. HEYMSFIELD: I mean, that was what did get
23 me concerned when answering this is that -- I mean, I've
24 seen patients lose 50 pounds in two weeks who were
25 extraordinarily fluid overload and people like that. So,

1 that's what you mean, you can lose extraordinary amounts
2 of weight at the extreme.

3 DR. GREENE: No, I'm referring to the data from
4 say Xenical or some of the other weight loss programs
5 where you're quoted average data and this is worded as if
6 you can use something other than average.

7 UNIDENTIFIED MALE: Um-hum, that's a very good
8 point.

9 MR. CLELAND: Let me follow up on that point.
10 I think that that is sort of -- that issue is relevant if
11 you're talking about the absolute limits of what the
12 possible weight loss is as opposed to what would be safe
13 weight loss.

14 DR. HEYMSFIELD: Is that part of a definition
15 of feasible or am I wrong?

16 MR. CLELAND: I guess I wouldn't see it
17 necessarily as part of the definition of feasible, more,
18 I guess, of the definition of safe, of how do you
19 determine what safe is in this context and associated
20 risks. But, Larry, you want to help me out here?

21 DR. STIFLER: Sure. I think it's important
22 that we do discriminate between diets under medical
23 supervision, as Steve said, and not. So, off the table,
24 I assume is the amount of weight loss acceptable and
25 considered safe under medical supervision. We needn't

1 argue that here.

2 It still bothers me a little bit with respect
3 to the issue not under medical supervision because back
4 to George's point earlier, I think you have to define
5 that in terms of the base weight that someone has. If
6 you come in at 350 pounds, I'm not sure I would agree
7 that more than two pounds a week is necessarily unsafe,
8 with or without co-morbidities.

9 Second, I don't usually hear this in the
10 discussions, but I'm also concerned about if people are
11 dieting on their own, the nutritional quality of diets.
12 I'd rather see someone lose three pounds on a
13 nutritionally sound diet who weighed 250 pounds than some
14 of these really weird diets or even a high fat diet,
15 whether you define that as weird or not, and lose two
16 pounds a week. So, I think the nutritional quality of
17 what people's intake is is important, even independent of
18 whether they're doing activity.

19 Also, I think there's the issue of efficacy.
20 There's this view that the public has, not supported by
21 any science at all, and correct me if I'm wrong, that
22 slow weight loss is the way to go. Well, I know three
23 review studies encompassing maybe 50 or 60 studies in
24 total and there's not a single study that I know of that
25 indicates that slow weight loss is effective long term,

1 that people even get weight loss. As a matter of fact,
2 two of the articles are essentially entitled -- if I can
3 paraphrase -- the more rapidly you lose weight, the more
4 weight you lose and the more weight you keep off. So,
5 even there, Steve, I'd rather see someone lose two and a
6 half pounds on their own on a reasonably nutritional
7 diet, and keep losing weight and not get discouraged and
8 not drop off the diet. There's nothing safe about losing
9 a pound a week if you quit the diet in three weeks.
10 You're still 250 pounds and you still have five medical
11 risk factors.

12 So, I think you have to balance the reality of
13 what a consumer can really do, their expectations and
14 whether they will comply with a diet against the safety.
15 So, I'm not sure where I'd put that number with people
16 that aren't under medical supervision. I may go back to
17 George's suggestion that you define it in terms of a
18 percent of existing body weight. But even there, there's
19 so many other issues, again, like nutritional quality and
20 whether people will stick to the diet that I think this
21 is a difficult question to come up with a precise answer
22 that meets the science and meets the requirements of the
23 average dieter.

24 MR. CLELAND: A couple of reactions to that,
25 Larry. One is that, yes, we are talking about safety in

1 the context of medically unsupervised self-medication
2 essentially, and two, the word "safe" here is -- I got a
3 sense from what you were saying is that you were thinking
4 of safety in a context of not -- well, that there's a
5 comparative offset. By losing this weight, by losing
6 three pounds a week or four pounds a week, you may be
7 reducing these other risk factors and, therefore, the sum
8 total of the risks for the individual may be ultimately
9 less, which isn't necessarily the same as saying that
10 what you're doing is safe.

11 DR. STIFLER: But that's my problem. It may be
12 safe, but you really do have to look at the alternative,
13 which means that if you're not losing weight or you're
14 not complying in the diet or you're on a nutritionally
15 inadequate diet, is that safe? So, it's hard for me to
16 define safe independent of what the alternatives are. If
17 you don't lose weight and you have co-morbidities, you're
18 not in a very good place. That's not safe either.

19 DR. HEYMSFIELD: Maybe Van and Sue can speak to
20 this, but I think our current culture about the safe rate
21 of weight loss comes largely from the study of gallstones
22 where people collected, literally, hundreds of cases of
23 gallstones and looked at the relationship between the
24 risk of gallstone development during dieting and the rate
25 of weight loss, and pretty much the cut seems to be

1 somewhere around that several pounds a week as being the
2 upper limit that still is associated with the relatively
3 low risk of gallstones. But, Sue or Van, do you want to
4 comment on that at all? Am I right about that?

5 DR. HUBBARD: To some degree. I mean, the
6 onset of gallstones, and also symptomatic gallstones, to
7 a large extent, are those -- in a few studies they have
8 done prospective analysis. The onset of gallstones is
9 also somewhat dependent upon the diet itself. And so,
10 many of the studies in which they saw a rapid onset of
11 gallstones had a low-fat component. So, you weren't
12 physiologically stimulating the gall bladder. So, there
13 is a physiological relationship as well.

14 I think as we are making statements about
15 relative rate of weight loss and the safety thereof,
16 there are always individuals who can lose larger amounts
17 of weight safely compared to others, and what we're
18 trying to do is establish some level that is reasonable
19 to be safe for the general population that is not seeking
20 any type of medical advice. And I think when we do that,
21 we do assert some level of increased caution.

22 MR. CLELAND: Let me go back to one point, Dr.
23 Heymsfield, a statement that you had made that you had
24 seen an individual lose as much as 50 pounds in a couple
25 of weeks, I think you said. Can you elaborate on the

1 circumstances where that might occur?

2 DR. HEYMSFIELD: Sure. If you have a patient
3 who's morbidly obese and they come in for obesity surgery
4 and you put them in the hospital ward, it turns out that
5 many of them will have latent congestive heart failure
6 and other fluid retention states and when they're put
7 into bed, a low-salt diet, calorie-restricted, they often
8 diuresis, it's called, and lose a tremendous amount of
9 water weight. It's very common.

10 MR. CLELAND: Any additional comments on this
11 question? Dr. Wadden?

12 DR. WADDEN: Just a quick one. Just to
13 reiterate, I think, what Larry has said that I think you
14 have to distinguish between medically supervised weight
15 loss and unmedically supervised weight loss, and the last
16 thing we want to see is people being encouraged to lose
17 more than three pounds a week for longer than four weeks.

18 Dr. Blackburn can recall better than I can,
19 1977, liquid protein diets. People went on these diets.
20 Fifty-nine people died nationwide. They were losing
21 weight at the rate of three pounds a week or more --

22 DR. HEYMSFIELD: Right, that's the other
23 example is the liquid protein diets.

24 DR. WADDEN: So, I think, to echo what Van has
25 said, you want to impose a measure of safety, to set a

1 safe standard for the public. Certainly, you can lose
2 three pounds a week on some of these radical diets, but I
3 don't think you can do it safely. You have to be
4 medically supervised to lose that much weight safely for
5 that period of time.

6 DR. STIFLER: George, I keep mentioning you.
7 Can we go back to the suggestion maybe of a percentage --
8 I mean, I'm not opposed to setting a weight. You know,
9 we do our diets under medical supervision, but I'm not
10 sure where you want to make that cut-off and I'm not sure
11 at 300 pounds, if somebody is dieting, that I want it
12 to be at the same place as somebody at 160 pounds if
13 we're trying to define safety.

14 DR. BLACKBURN: Still, if we're talking about
15 fat loss and now we're leaving the 200-pound person to
16 300 pounds, you know, then there's another 1,000 calories
17 on the table and I still think that you can -- if you're
18 talking about fat loss, get rid of this front-end
19 dieresis and I think in this example, we're picking it up
20 after -- are we including the first week or not? Let's
21 see --

22 UNIDENTIFIED MALE: Well, the way it's written,
23 it does.

24 DR. BLACKBURN: In the first two weeks, right.
25 So, it includes that. I'm a little bit surprised. I

1 don't have an elephant-like memory, but I remember as we
2 walked through -- we're now at about the fourth set of
3 the U.S. Dietary Guidelines. It used to be 1 to 2
4 percent, that was thought not to be safe, and we reduced
5 it to a half to 1 percent. And why we're having science
6 silenced from the agencies who developed this is a little
7 bit surprising to me. But I'd be willing to bet that it
8 now says a half percent to 1 percent is a safe,
9 unsupervised public guideline for changing of weight,
10 reduced from earlier editions that were 1 to 2 percent.

11 DR. HEYMSFIELD: So, 1 percent would be three
12 pounds for someone 300 pounds?

13 DR. BLACKBURN: That's right.

14 DR. HEYMSFIELD: That's pretty heavy. So, the
15 three pounds here would cover most people.

16 DR. BLACKBURN: I certainly think it's safe. I
17 think it was with scientific evidence that the velocity
18 of weight loss, in part due to the liquid protein fiasco,
19 was reduced from 1 to 2 percent to a half to 1 percent
20 for unsupervised, public health change in body weight.

21 MR. CLELAND: Let's go ahead and poll this
22 question with the assumption again that safety here is
23 without medical -- we're talking about safety without
24 medical supervision.

25 Dr. Yanovski, yes, no, uncertain, at the three-

1 pounds-for-more-than-four-weeks level?

2 DR. YANOVSKI: Again, if we're not going to do
3 it as a percent, I would say no, but really changing it
4 to something like 1 percent would probably make more
5 sense, more than 1 percent.

6 DR. WADDEN: I'd say no as it's written.

7 DR. STIFLER: At three pounds, I'd still say
8 no, yes. No, period.

9 DR. STERN: I'd say no. But is there also a
10 way, Rich, that we could add in Dr. Yanovski's caveat
11 about greater than 1 percent a week?

12 MR. CLELAND: Well --

13 DR. STERN: In the sense that then that could
14 be applied to all people.

15 MR. CLELAND: Yeah. I mean, the 1 percent
16 can't be applied to all people in a context of a -- if
17 you're looking to develop -- I mean, what we're looking
18 for is something that we can say is or isn't
19 scientifically feasible. In the context of this claim,
20 if it is -- I think it does -- in an instructive context,
21 it does matter whether it's weight or percentage. It's
22 just not generalizable as a percentage when you're
23 looking at it from a marketing point of view.

24 DR. STERN: I'll vote no.

25 MR. CLELAND: If it's three pounds, if it's

1 four pounds. But based on what George said down here, I
2 think three pounds, if that's 1 percent, 300 pounds --

3 DR. STERN: Right.

4 MR. CLELAND: Okay.

5 DR. WADDEN: Well, given the nation's math
6 skills, it's hard to take even 1 percent of your starting
7 weight.

8 MR. CLELAND: Yeah, I know that's what you're
9 thinking. Van?

10 DR. HUBBARD: I would say no as currently
11 described.

12 DR. HEYMSFIELD: I think what Van said is very
13 important, that there's a margin of safety that we should
14 consider for the public. So, I would say no, too.

15 DR. GREENE: No.

16 DR. BRUNER: No.

17 DR. BLACKBURN: No.

18 MR. ALMADA: No.

19 MR. CLELAND: That concludes all the claims
20 that we were going to look at this morning and consider.
21 I certainly want to -- don't get up from your seats yet,
22 please. I certainly want to thank all of the panelists
23 this morning. It was tremendous from my perspective just
24 to be able to sit here and have this discussion. So,
25 again, I want to thank you very much.

1 I would also like to invite any members of the
2 panel, and as the Chairman said this morning, we will
3 continue to take additional comments, so if the panelists
4 have any additional comments or any references that they
5 would like to provide to us, authority that they think we
6 ought to take a look at on any of these points, we would
7 certainly encourage you to do so and commit that we would
8 review that material. So, thank you very much.

9 **(Whereupon, at 12:00 p.m., a luncheon recess**
10 **was taken.)**

11
12
13
14
15
16
17
18
19
20
21
22
23
24
25