

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

- VOLUME A -

IN THE UNITED STATES DISTRICT COURT  
IN AND FOR THE DISTRICT OF DELAWARE

- - -

THE JOHNS HOPKINS UNIVERSITY,	:	CIVIL ACTION
A Maryland Corporation,	:	
BAXTER HEALTHCARE CORPORATION,	:	
A Delaware Corporation,	:	
and BECTON DICKINSON AND	:	
COMPANY, A New Jersey	:	
Corporation,	:	
	:	
Plaintiffs	:	
	:	
v.	:	
	:	
CELLPRO, A Delaware	:	
Corporation,	:	
	:	
Defendant	:	NO. 94-105 (RRM)

- - -

Wilmington, Delaware  
Tuesday, March 4, 1997  
9:05 o'clock, a.m.

- - -

BEFORE: RODERICK R. McKELVIE, U.S.D.C.J.

- - -

APPEARANCES:

POTTER, ANDERSON & CORROON  
BY: WILLIAM J. MARSDEN, JR., ESQ.

Counsel for Plaintiffs

Official Court Reporters

1 doctors and scientists interested in doing if they could  
2 put out these stem cells?

3 A. Well, several things. As I'd said, one thing would  
4 be to, on the fundamental level, to study these cells.  
5 Every time we learn something fundamentally about a cell  
6 type, we learn something practical about better diagnosis  
7 treatment or prevention for example how leukemia occurs.  
8 But another very quick application of this would be to be  
9 able to, if you could flag these cells, you could probably  
10 flag some types of leukemias. So this would have an  
11 application probably in leukemia diagnostics.

12 A lot of the other antibodies that had already  
13 been made to T cells and B cells, for example, had such an  
14 application. And then the third thing is what you've heard  
15 about a lot already, that these are the cells which you  
16 need to transplant, which you do a bone marrow transplant.  
17 These are the active cells in the marrow. So this could  
18 enable a transplant of purified stem cells instead of  
19 just bone marrow.

20 Q. And in addition to bone marrow transplant, were  
21 there other possible clinical uses of purified stem cells?

22 A. Sure. One use would be for purifying the cells from  
23 the blood, not just from the bone marrow or from other  
24 tissues, and once you've heard about classical bone  
25 marrow transplants where you give very high doses of

1 chemotherapy and radiation therapy to kill the cancer and,  
2 as a consequence of that, wiping out the immune system  
3 and the bone marrow factory systems, the hematopoiesis  
4 system of the individual, and then rescuing that  
5 individual from the toxicity by giving the bone marrow or  
6 the purified stem cells nowadays but there are other  
7 applications in what we call gene therapy.

8           For example, sickle cell anemia is a pretty  
9 common disease and that disease has a defect only in the  
10 red blood cells on that picture on the extreme right.

11           If you gave somebody corrected cells, stem  
12 cells had that corrected by gene therapy that you could  
13 take that patient and convert that patient theoretically  
14 into a normal individual if you could get the stem cells,  
15 the fixed stem cells by gene therapy to function in that  
16 individual.

17 Q.     Thank you.

18           And could you tell us the time period of the --  
19 the time period in which you were doing the work that led  
20 up to the CD34 antigen discovery?

21 A.     Well, I started that work in around 1981. A couple  
22 of years after starting my lab, as I said before I started  
23 off working on the granulocytes and moved to the stem  
24 cells when I got the courage to approach this needle in  
25 the haystack problem. And in the couple of years

1 subsequent to that, we did the studies which really  
2 characterized the expression and the importance and other  
3 details of this molecule that was affectionately called  
4 My-10 and later a group of scientists called CD34.

5 Q. Could you tell us something about the tests that  
6 you did that led to the conclusion that you had found  
7 what you hoped that you would find?

8 THE WITNESS: Your Honor, may I go to the  
9 chart again?

10 THE COURT: All right.

11 THE WITNESS: Well, how would we know we had  
12 a stem cell if when we got it we didn't know what it  
13 looked like? We had kind of a circular problem, so we  
14 made -- we made all the hypotheses and reasonable guesses  
15 we could. The first thing was if we made such an antibody,  
16 it shouldn't bind to any or very many cells in the blood,  
17 shouldn't bind to all these types of cells.

18 So one of the first things we did was does  
19 this antibody stain any cells in the blood? And we  
20 looked very carefully and it didn't stain any beyond  
21 the level of background stain in the blood. That got  
22 us interested.

23 We then said, Well if it's an antibody which  
24 binds selectively to stem cells, not to everything else,  
25 then it should only bind to a small percent of cells in

1 the marrow. Remember I said that these cells are about  
2 1 percent of the marrow. Well, when we tested 50 marrows  
3 from normal donors, we found that 1.5 percent of the cells  
4 were positive with this antibody, were CD34-positive. So  
5 then we took those cells and we not only flagged them, but  
6 we hooked them and looked at them under the microscope.

7           And remember I said earlier that you could  
8 tell crudely whether a cell was immature or mature under  
9 the microscope. Among these babies, infants and toddler  
10 in the bone marrow, you could make out the earlier cells.  
11 And these were -- this was an enrichment of the earlier  
12 cells in this 1 percent.

13           Then it got really exciting when we did what  
14 we called colony forming assays. Those are tests for  
15 these stem and progenitor cells and what we found was  
16 that the, that the antibody purified in that small 1  
17 percent, all the cells that were capable of forming later  
18 red blood cells, all the cells that were capable of  
19 forming white blood cells with granulocyte type and all the  
20 blood cells that would be pictured up here that were  
21 capable of forming multiple types of colonies, so they  
22 were less than -- so despite the fact this was only 1  
23 percent of the marrow, roughly, it had all of the colony  
24 forming power in the marrow. So if we do this line  
25 horizontally, we would think maybe this antibody would

1 bind to all these cells.

2           There were no colony assays for those, but  
3 there was another way we could test called a TBF test  
4 which showed these cells at this early stage were  
5 CD-34-positive.

6 Q.    Now, Dr. Civin, I just want to interrupt.  Could  
7 you tell with the jury what you mean by CD34-positive?

8 A.    What I meant -- what I mean is that, when we used  
9 CD34 to purify the cells.  That these cells were the  
10 cells that were selected, were purified were hooked, and  
11 then reeled in by any one of a number of techniques with  
12 the CD34 antibody, they were not in the CD34-negative  
13 negative area, so once we had this hook we could use a  
14 tool, one tool we used among many available was a flow  
15 eye Tom meter or FACS machine -- a FACS machine different  
16 from the one that you send a page on, but a laser machine  
17 where we could tell cells that were florescent and it's  
18 easy to put various tags on antibodies when you connect  
19 florescent tags.

20           So the cell, if stained with the antibody, our  
21 CD34-positive stain, would shine green fluorescence.  
22 Shine a light on it, it shows green.  And that shows the  
23 green cells from the unlabeled CD34-negative and later  
24 found mature cells.  So we do that and found these  
25 populations there.

1           The other thing we did was we tested each  
2 of these populations with the fluorescence activated sort  
3 of FACS machine and showed these mature cells did not  
4 even label. Not only were they not hooked, but the most  
5 sensitive tests showed they did not even label with the  
6 other cells, with the CD34 antibodies. So at this point  
7 we were kind of at the "Eureka" point where the light  
8 bulb goes off and here we said, It looks like we have an  
9 antibody that binds to all of these progenitor cells and  
10 possibly to the stem cells.

11           And, in fact, what we found was the earlier  
12 progenitor cells we could test, the greater the level of  
13 expression. And this argument led us to conclude that it  
14 was very likely and then proved that at least that the  
15 pluripotent stem cell was CD34-positive as well, these  
16 mature cells. That was a point that we felt that it was  
17 time to write a paper and apply for a patent.

18 Q.       Now you've made several references to antibodies  
19 and monoclonal antibodies. Could you give us some sense  
20 as to what the technique is that you are referring to  
21 when you talk about using monoclonal antibodies?

22 A.       Sure. Well, an antibody is part of our body's  
23 defense system. Our lymphocytes over there, the B  
24 lymphocytes make B with help with the T lymphocytes.  
25 For example, when we get an immunization or an inoculation

1 and worked on that project.

2 Q. Would you turn to Exhibit 24?

3 A. Yes, I have it.

4 Q. In Footnote 1, on the first page, you see once  
5 again there, there is a reference to two NIH grants?

6 A. Yes.

7 Q. And one of them is this grant to Dr. Strauss, who was  
8 a post-doc in your lab; correct?

9 A. It wasn't a grant to Dr. Strauss. It was a grant  
10 to Albert Owens, who was the principal investigator and  
11 used part of that grant to support the training of Dr.  
12 Louis Strauss.

13 Q. When you first came to Hopkins, did you receive any  
14 NIH grant support, not directly, but through someone else  
15 as Dr. Strauss did here?

16 MR. WARE: Objection. 401.

17 THE COURT: Overruled.

18 THE WITNESS: I think this is the CA06973 that  
19 provided some support for me and my laboratory. That  
20 grant, part of its purpose is to foster Cancer Center  
21 development.

22 So part of its purpose at that time, I believe,  
23 was to help start up young investigators. I don't  
24 remember how much and what percent of the start-up that  
25 provided. But it was a minor percent.



1 BY MR. BLOOMBERG:

2 Q. Would that have been available approximately when  
3 you joined Hopkins?

4 A. I don't know if it was used during that -- during,  
5 when I first came to Hopkins.

6 Q. But it would have been available to you?

7 A. It would have been available to Dr. Owens.

8 Q. Just as Dr. Strauss was able to use the grant  
9 moneys from Dr. Owens?

10 A. There are currently 70 faculty and I don't know how  
11 many post-doctoral Fellows at our Cancer Center. The  
12 principal investigator of the grant will decide which  
13 young investigators and which post-doctoral Fellows will  
14 be supported.

15 I don't recall right now the years that I was  
16 supported. But I did receive some start-up funding from  
17 that. I had forgotten about that.

18 Q. When you say from that, you are referring to from  
19 that NIH grant?

20 A. From the NIH grant, yes.

21 MR. BLOOMBERG: No further questions, your  
22 Honor.

23 THE COURT: Redirect.  
24  
25